

NM1

IN THE SUPERIOR COURT OF THE STATE OF CALIFORNIA
IN AND FOR THE COUNTY OF SACRAMENTO

--oOo--

NATIONAL AUDUBON SOCIETY and
MONO LAKE COMMITTEE,

Petitioners,

vs.

STATE WATER RESOURCES CONTROL
BOARD,

Respondent.

DEPARTMENT OF WATER & POWER OF
THE CITY OF LOS ANGELES

Real Party in Interest.

And Consolidated Action No. 336715

COPY

No. 336712

--oOo--

DEPOSITION OF ELDEN H. VESTAL

VOLUME II

(Pages 136 through 290)

--oOo--

Napa, California
Thursday, March 1, 1990
10:30 A.M.

--oOo--

Reported by:

REBECCA K. FELKER, CSR No. 8043

Sims & Sims

CERTIFIED SHORTHAND REPORTERS

Robert Louis Stevenson Plaza
Suite 276, 1700 Second Street
P.O. Box 117
Napa, California 94559

Napa
(707) 226-3022

Fairfield
(707) 428-3666

Vallejo
(707) 642-3224

Computer Aided Transcription

"For over fifty years"

I N D E X

	<u>PAGE</u>
1	
2	
3	136
4	
5	
6	137
7	216
8	239
9	278
10	201
11	220
12	244
13	282
14	
15	
16	189
17	
18	201
19	201
20	204
21	206
22	222
23	
24	249
25	
26	

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25
26

I N D E X

EXHIBITS (continued): PAGE

NO. 31 - DOCUMENT ENTITLED CREEL CENSUS 253
AT RUSH CREEK TEST STREAM

NO. 32 - COPY OF CHART DATED 2-5-90 282

*Pursuant to stipulation of Counsel, Witness will make prints of slides and provide same to the Court Reporter for attachment to the deposition transcript. Witness will retain original slides and box.

REPORTER'S CERTIFICATE 290

--oOo--

--oOo--

1 IN THE SUPERIOR COURT OF THE STATE OF CALIFORNIA
2 IN AND FOR THE COUNTY OF SACRAMENTO

3 --oOo--

4
5 NATIONAL AUDUBON SOCIETY and)
6 MONO LAKE COMMITTEE,)
7)
8 Petitioners,)

9 vs.)

No. 336712

10 STATE WATER RESOURCES CONTROL)
11 BOARD,)
12)
13 Respondent.)

14)
15)
16 DEPARTMENT OF WATER & POWER OF)
17 THE CITY OF LOS ANGELES)
18)
19 Real Party in Interest.)

20)
21)
22 And Consolidated Action No. 336715)
23)
24)

25 --oOo--

26 DEPOSITION OF ELDEN H. VESTAL

BE IT REMEMBERED that, pursuant to Subpoena and continued by Stipulation, and on Thursday, the 1st day of March, 1990, commencing at the hour of 10:30 o'clock a.m. thereof, at Napa County Library, 1150 Division Street, Napa, California, before me, REBECCA K. FELKER, CSR No. 8043, a duly licensed Certified Shorthand Reporter in the State of California, there personally appeared

ELDEN H. VESTAL,

a witness called under the appropriate and applicable

1 provisions of the Codes of the State of California, who,
2 being first duly sworn, was thereupon examined and
3 testified as hereinafter set forth.

4 --oOo--

5 A P P E A R A N C E S

6 --oOo--

7 MORRISON & FOERSTER, 630 Hansen Way, Palo Alto,
8 California 94304-1014, represented by BRYAN J. WILSON,
9 Attorney at Law, appeared as counsel on behalf of the
10 Audubon Society, Mono Lake Committee, and Elden Vestal.

11 LAW OFFICES OF KRONICK, MOSKOVITZ, TIEDEMANN &
12 GIRARD, A Professional Corporation, 770 L Street, Suite
13 1200, Sacramento, California 95814-3363, represented by
14 JANET K. GOLDSMITH, Attorney at Law, appeared as counsel on
15 behalf of the Department of Water and Power of the City of
16 Los Angeles.

17 ALSO PRESENT were Peter Vorster and Ethel Vestal.

18 --oOo--

19 ELDEN H. VESTAL,

20 called as a witness, having been first duly sworn to tell
21 the truth, the whole truth, and nothing but the truth, was
22 examined and testified as follows:

23 EXAMINATION

24 BY MS. GOLDSMITH:

25 Q Mr. Vestal, this is a continuation of the deposition
26 that was started on the 11th of January, and do you

1 remember all of the general admonitions that were given you
2 about the fact that this is under penalty of perjury, and
3 that material that you testify to here can be used in
4 court?

5 A Yes.

6 Q Thank you.

7 I'd like to go back to Exhibit 23 and ask
8 you some questions about it.

9 MR. WILSON: I'm sorry, what was the number?

10 MS. GOLDSMITH: 23.

11 THE WITNESS: Let's see.

12 BY MS. GOLDSMITH:

13 Q It's this one (indicating).

14 A That was a map, was it not?

15 Q Yes, and it has a legend across the top, Mono County
16 greets fisherman, fishermen's paradise, reached via Bishop
17 Lee Vining or Bridgeport, and has small advertising of
18 various establishments in Mono County at the lower
19 right-hand corner of the map is --

20 A Yes.

21 Q An establishment identified as Rush Creek Ranch?

22 A Yes, Rush Creek Ranch.

23 Q Did you ever visit Rush Creek Ranch?

24 A Yes.

25 Q Did you visit it during 1939 to 1940 when you were
26 there?

1 A Yes.

2 Q And --

3 A I did, however, I did not, however, know what the
4 boundaries of that were, but I had visited the Rush Creek
5 Ranch and the principal home which was occupied by Mr.
6 Clover and later by Mr. Dombrowski. I knew where it was,
7 right there near the mouth of the creek.

8 Q How often did you visit there?

9 A Well, rather regularly when the Rush Creek test stream
10 project got underway.

11 Q When was that?

12 A Well, that was in the -- starting in the season of
13 1947. I had visited before during the reconnaissance with
14 Mr. Curtis in the fall of 1946, and I had seen it
15 previously, we passed through it, and seen the location of
16 it with Mr. Taft, the chief of the bureau, and Mr. Curtis
17 also in 1942, Mr. Taft in the spring of '42 and Mr. Curtis
18 in the fall of 1942, so I was, you might say, I knew that
19 there was such a thing as Rush Creek Ranch, knew its
20 location, and the fact that this was astride a section of
21 the lower -- well, I'm not sure at this point whether it
22 was astride, but it certainly was riparian to lower Rush
23 Creek.

24 Q Was it located below what's been referred to as the
25 Gorge?

26 A Yes.

1 Q How far below that?

2 A Let's see, subtracting the -- I'd say 3.2 miles.

3 Q So from the lake to about 3.2 miles below the Gorge,
4 roughly?

5 A From the lake, if the ranch extended below the county
6 road, this is what I'm puzzled about. And I earlier
7 mentioned the fact that I wasn't familiar with the
8 boundaries of the property, but the ranch certainly would
9 be located, at least the frontage on the county road would
10 be located about half a mile from the edge of the lake.

11 Q As it stood then?

12 A This was my recollection of it.

13 Q Did it include the meadow area below the Gorge?

14 A The ranch, insofar as I know, did not include the
15 meadow area. This -- the upper section of the ranch, the
16 upper boundary of the ranch didn't include much above what
17 we later call the upper bridge, which was, oh, about
18 another half a mile above the county road and the -- I'm
19 trying to get the compass -- the north boundary of Rush
20 Creek Ranch.

21 Q Okay. In the period 1939 to '40 you visited the
22 ranch?

23 A Yes.

24 Q Do you remember what it looked like at that point,
25 what features it included?

26 A There was a -- there was a fence, and I think one

1 reason for that was to keep stock out, I say stock, we're
2 talking about sheep.

3 But there was a fence, and I seem to recall
4 that there were at least -- there are at least two or three
5 Jeffrey pines on the property, they may have been planted
6 there, but the bulk of the surface coverage on the ranch
7 was bitterbrush and sagebrush, and the common great basin
8 plants of the more arid portions of the Pumice Valley.

9 Q The legend on the map advertising Rush Creek Ranch
10 says, Rush Creek Ranch, Walter Dombrowski,
11 D-o-m-b-r-o-w-s-k-i, proprietor, for many years lower Rush
12 Creek has produced the finest of stream fishing, duck
13 shooting is unsurpassed, rates for season, P.O. Box 31,
14 Mono Lake.

15 Do you have any recollection of cabins or
16 guest accommodations or any of that sort of amenity?

17 A Nothing permanent. People would come in there with,
18 especially in the fall, partly during -- partly for
19 fishing, and partly for duck hunting with trailers, but I
20 don't -- I don't recall any permanent like cabins or that
21 sort of thing.

22 Q Do you have any specific recollection of duck hunting?

23 A Yes, I took part with Mr. Dombrowski on one occasion.

24 Q When was this?

25 A This was in the fall of 19 -- 1940. And he invited me
26 another time after World War II, but -- I don't -- let's

1 see, either '40 or '41. Just one occasion. And he wanted
2 to show me the duck ponds that they had developed. A lot
3 of it, a lot of the work was done by Walter himself on both
4 sides of the lower stream at the delta, and those ponds
5 show in a report, or series of reports rather, in a map
6 that was attached to these reports in the fall of 1948,
7 beginning in September.

8 But the one occasion was an introduction,
9 since I was interested in waterfowl and had done some
10 hunting, ducks and geese with my wife's father, I was
11 interested in breaking in, so to speak, but also the fact
12 that Mr. Dombrowski was noted as a waterfowl -- I want to
13 say, quote, specialist, quote, he was very observant and
14 had had considerable experience with waterfowl, was an
15 excellent shot and he was a superb duck caller.

16 And he demonstrated his ability at that time
17 that I was there with him to call out, of out of the clear
18 blue sky, ducks that were flying by. Would call them in
19 with what he called an attraction call, then as they
20 circled in he would, with vocalizations, with his hands and
21 his mouth, he would mimic the feeding call, and the ducks
22 would come right into the ponds. And this was how clever
23 he was at this, and also qualified him as a guide.

24 Q Did you have any luck with him hunting?

25 A No, I got off a couple of shots, but being at that
26 point a novice waterfowl man I missed.

1 Q How did he do?

2 A He didn't do very well because the birds were still
3 too far out.

4 Q Is there anything else that you recall about the Rush
5 Creek Ranch that I haven't covered?

6 A Well, the fact that part of the attraction there was
7 the -- was the fine fishing in lower Rush Creek for large
8 trout. People, anglers would come there and Walt talked up
9 in and about Lee Vining, the quality of the fishing and the
10 fact that these fish were available there. And I had heard
11 tell of some beautiful brown trout taken on it.

12 I never myself, in my fly fishing there, did
13 not take anything over about 13, 14 inches, but I had heard
14 tell of browns larger than that taken by guests there at
15 Rush Creek Ranch.

16 Q How often, not just the period '39 to '40, but during
17 the years that you were stationed in the Mono Basin how
18 often did you fish down there?

19 A Oh, I didn't fish very often. It was occasionally,
20 occasional thing. And usually in the fall, generally, the
21 times that I did fish I fished in the fall because this was
22 the time when other fisherman, and that was the reputation,
23 it was good fall fishing.

24 Q You have good fall fishing there?

25 A It was good fishing, it was good fishing, I took fish,
26 I didn't -- I didn't keep any, but I caught them to see, to

1 look at them.

2 Q Generally what size were they?

3 A Well, they were -- I saw fish larger than 14 inches,
4 but I caught, hooked fish up to 14 inches. Never saw fish
5 in lower Rush Creek that was in poor condition. They
6 certainly didn't have a reputation for -- they had a
7 reputation to be -- for being in good condition.

8 Q And this was throughout the period that you were
9 stationed?

10 A The -- as the -- as the test stream went on, continued
11 on and the stream flows declined, then there was -- there
12 was a commensurate decline in the size, not the condition,
13 but the size of the fish.

14 I don't recall any fish, either seen or
15 reported by men coming through the test stream, that is
16 where resident fish in the stream, that were very large,
17 and in contrast to the fish that had come out of there in
18 the early years.

19 Q Did you ever take any trout other than brown trout?

20 A Brown trout was the species that I caught.

21 Q Do you know of anybody taking any other species?

22 A There were a few rainbow caught, and a few Eastern
23 Brook caught. The Eastern Brook were not that common, and
24 the Eastern Brook were taken in the upper portion of that
25 lower section, we're talking now about the Gorge, down to
26 the mouth. Probably due to the influence of the springs in

1 the meadow, I think that this is -- this was the principal
2 hang out, nursery grounds and feeding areas for the Brooks.

3 Also because although we did not measure the
4 temperatures there, we had suspected that the temperatures
5 had we made a circuit against the terrace as it dropped off
6 into the floodplain, almost surely the temperatures would
7 have been less near the site of issue than they were after
8 they got down, well down toward the main stem. And that's
9 what the Brooks were looking for.

10 Q Did you see the Brooks or the rainbows or just hear of
11 people catching them?

12 A No, I saw, I saw all three species, I saw all three.

13 Q Okay. I'd like to move now to Exhibit 19.

14 A I would like to add one comment about the occurrence
15 of large fish.

16 Q Sure.

17 A At the time that I visited the delta with Mr.
18 Dombrowski we saw swirls and rises in the lower most
19 portion, this was outright extending, some of this aquatic
20 influence was extending out into Mono Lake.

21 Q What is a swirl and a rise?

22 A A swirl is when a large fish, especially from a large
23 fish, comes up and he grabs something off the surface and
24 as he turns the surface of the water will swirl or sort of
25 spin. And they'll dimple. Dimpling is when a fish rises
26 to the surface and they suck in a bit of food, an insect or

1 something on the surface.

2 And the swirls and the dimpling and the wake
3 that we saw, Mr. Dombrowski and I at the time that we were
4 there, had to be from large fish. And we did not see these
5 fish, but Mr. Dombrowski interpreted them, inferred that
6 they were browns because of the size of the fish.

7 And they -- we also inferred that they were,
8 in addition to other foods, they were making passes into
9 the saline, immediate surrounding, or partly saline water
10 to get brine shrimp, and feeding rather actively.

11 Q Turning now to Exhibit 19, it's a memorandum to Phil
12 Pister?

13 A Yes. This was addressed to -- I have it taken.

14 Q How did you come to have this memorandum?

15 A It lacks my initials, I -- I'm not sure. It could
16 have come -- it could have been -- I'm just not sure how I
17 came by this paper. I just can't be sure.

18 Q On the second or third page, it's the second page of
19 print, there's some handwritten notation on the side that
20 culminates at the bottom with NX7MI equals 51,968; do you
21 know what that means?

22 A NX -- (witness reviewing document.) Oh, let's see.

23 MR. WILSON: It's a little more easy to read on
24 this copy.

25 THE WITNESS: Oh, these were notes, yes. What I
26 attempted to do there was to determine the total, the

1 summary -- that particular -- those particular figures
2 represent the total, 7,424 per mile, plus or minus a
3 hundred and forty-two, using this method that they used to
4 sample the stream, and at the same time that I made those
5 no -- that notation, and that was later multiplied by 7.9.

6 So round figures seven miles for the total
7 length of Rush Creek from the lower most station up to the
8 station below Grant Lake Dam to give me a total. And I
9 later added another set of figures to get the overall
10 total. This total was for the section, section two, which
11 was the 335 feet nearest Grant Lake Dam in the upper
12 section as shown on the map.

13 MS. GOLDSMITH: M-hm.

14 THE WITNESS: And when I got that overall total I
15 compared the results, percentage results, species-wise,
16 with what I had derived for section two, and I got the -- a
17 very similar figure.

18 In other words, there was -- there were 96
19 -- 96 percent were brown trout, 3.8 percent were rainbow
20 trout, and the rest were almost just practically a trace
21 was Eastern Brook, and the same obtained for the overall
22 summary for the seven miles.

23 I got total of 8,036 fish per mile when I
24 brought them together, my arithmetic, and then the same
25 percentage figures for the species and the totals worked
26 out to 16 Eastern Brook, 312 rainbow trout, and 7,208 total

1 for the brown trout.

2 BY MS. GOLDSMITH:

3 Q And so what was your total?

4 A The total overall was 8,036.

5 Q Per mile?

6 A Per mile.

7 Q Did you come up with a total that you felt --

8 A 63,484. If we interpret that, use these data then
9 multiply by 7.9 would give you 63,484 for the seven point
10 mile reach, 7.9 mile reach of Rush Creek.

11 Q In reviewing this memorandum and making these
12 calculations did you form an opinion as to whether this was
13 a good way to estimate the population?

14 A It -- while I have never -- men that I have supervised
15 have used it. Early on I did not use it myself. I realize
16 that it was -- it was an improved method.

17 In the early years we probably would have
18 done it, you might say the hard way. We would have gone in
19 and made more, set up more stations, set up more block
20 scenes and collect the fish more sections, and bring them
21 all together to get an aggregate by section and also for
22 the total reach.

23 And this method certainly saves a lot of
24 effort, and one of our members of the biological staff used
25 it over on coastal streams and found that it was very
26 effective on steelhead and salmon streams over there.

1 A I cannot say that it was adopted as a standard method,
2 but it certainly was recommended by him, and hence the
3 application here under the circumstances in this study,
4 November 9th, 1984.

5 Q So, if you were going to study the stream you would
6 put more stations in to sample?

7 A If I were going to study the stream, I think that one
8 of the problems that I had with sampling was to try to
9 obtain a more specific view of food grade. Well, let's
10 start with bottom, the gravels, the size of the gravels,
11 the characteristics of the bottom, in relation to the
12 northerly wetted perimeter of the stream. And then from
13 that to try to come up with an understanding of the food
14 grade and get a better idea of the habitat before applying
15 the method, any method of sampling.

16 And there's nothing in this report that, or
17 very little in this report that indicates that just what
18 this was. Part of that, of course, is due to the, in a
19 typical transect through the stream, the total 7.9 miles of
20 stream, as an aquatic biologist and fisheries biologist, I
21 would visualize after some experience typical transect, a
22 series of stations up through that reach of stream, and
23 then the -- within the normal flow, wetted perimeter the
24 velocity pattern that was preferred for food production and
25 for spawning by rainbow and especially brown trout, since
26 brown trout were dominant there.

1 And that typical velocity pattern for food
2 production varied from a half a foot per second to a little
3 over three feet per second, and the average size of the
4 gravels varied from about an eighth of an inch up to two
5 and a half to three inches in diameter. Those are study
6 after study of our -- members of our biological staff have
7 shown that to be true.

8 And on spawning the gravels range upward a
9 little larger, depending upon the size of the fish
10 involved, to be able to move the gravels, and they varied
11 from about a half an inch in diameter, well, for spawning,
12 even downward, if you consider Eastern Brook, clear down to
13 an eighth of an inch up to, again, over three inches in
14 diameter.

15 And so given that understanding of the
16 habitat, and then it seems to me that a sampling of the
17 production, the normal production, productivity of the
18 stream, makes more -- makes a lot more sense. It's likened
19 in a sense to an alfalfa field.

20 Q A what?

21 A An alfalfa field, considering the soils and the
22 production of the plants. The farmer evaluates his acre
23 and he knows in advance that it's going to produce so many
24 bales because of the soil's quality, and the only way he's
25 going to enhance that is by fertilization.

26 And, of course, it's well-known, but in a

1 stream the -- you begin with the habitat, as I have
2 described it, and certainly the velocity pattern in those
3 serial transects over the 7.9 miles.

4 Q How much credibility do you give your calculation of
5 60 plus thousand for that stream?

6 A 63,484.

7 MR. WILSON: Credibility for what purposes?

8 MS. GOLDSMITH: How much faith does he have in
9 that as an accurate estimate.

10 THE WITNESS: It's an estimate, and to the extent
11 the accuracy of the method that they used, it's -- I
12 believe, the fishing, the specific fishes given, the method
13 has been used sufficient to say that it's useful.

14 I'm sure that Mr. James Burns when he used
15 the method over on the coast again and again and again,
16 based many of his reports on it, and is a far better
17 statistician than I am. And Mr. Burns was a good
18 biologist.

19 So on the strength of the effort by Mr.
20 Burns and other biologists on the staff, I came to feel
21 that as a fisheries biologist that it was certainly an
22 acceptable method for estimating steams and the population
23 in streams, and perhaps subject to the comments that I made
24 about productivity, I think acceptable.

25 BY MS. GOLDSMITH:

26 Q I can follow the calculations that led you to the

1 63,000, and we discussed the general considerations that
2 would lead to the number of transects which would give you
3 the numbers to put into the calculation, and I guess what
4 I'm trying to get at is whether or not in your experience
5 as a fisheries biologist there were adequate transects to
6 allow you to really have confidence in the number of 63,000
7 as the number of trout along Rush Creek, in lower Rush
8 Creek?

9 A I would have much more confidence in it at normal
10 flows because some -- using another method in estimating
11 the population of it, of streams similar to Rush Creek, I
12 derived a figure quite a bit over that. It was a few
13 thousand over that.

14 What they came up with was certainly a
15 usable population figure for the productivity of the
16 stream, but in my estimation the, and subject to a more
17 comprehensive description of the habitat, along the line of
18 remarks that I made earlier, I feel that the potential of
19 the stream was much greater than that. That normal --
20 under normal flows, and I'm visualizing at the wetted
21 perimeter an average of 20 feet wide, an average depth
22 throughout the 7.9 mile reach, of seven inches. I'm just
23 visualizing that right on through. And --

24 Q At what season of the year?

25 A Well, this would be -- this has -- the productivity
26 has to take in all the seasons in order to be more

1 comprehensive of the total productivity of the stream.

2 I don't think at any particular -- you could
3 base a conclusion because certainly in the spring when the
4 alevins of the brown trout are coming out and the Brooks
5 are coming out the population would be much greater, and
6 this was in the fall when a lot of the mortality had
7 occurred. And so it would be, naturally, it would be less.

8 So, I think, and for this reason I made that
9 comment about the total seasons, so what you probably --
10 the lowest point in the population would be just prior to
11 the -- to the onset of spring. Just before the alevins
12 begin coming out of the gravel. Then you'd have a surge in
13 population.

14 Q Is the basis for your comments about a 20 feet width
15 and a six to seven inch depth based on your experience at
16 Rush Creek or idealized?

17 A No, it was on the experience at Rush Creek, on the
18 Rush Creek test stream.

19 Q Were those actual characteristics of the stream, or
20 were they sort of a blend over what occurred over the
21 course of a year, how do you come to that?

22 A This average was a summation of measurements of the
23 stream from which we derived flow average, we took the, I
24 call it the Davis, H. S. Davis formulas. When you get the
25 average width and average depth at several stations, and
26 then using day, time, and seconds compute the velocity, or

1 using the float method determine velocity in feet per
2 second and use that arithmetic to compute your flows of the
3 stream and factoring that to get your actual flow.

4 Q So this would be an average over the course of a year?

5 A This would be the -- this would be an average during
6 the period that we were taking the flow measurements. So
7 there were times there when the, in the spring when the
8 flow was greater, the streams, wetted perimeter would be
9 much greater, right up to the banks, when the average
10 width, I know, would be much greater, but the average of 20
11 feet in width, and average of seven inches in depth was
12 derived mainly during the, perhaps, midsummer flow.

13 And in discussing this with Claude James,
14 the hydrographer of the City at Cain Ranch, he felt that
15 this was, because of long experience in hydro measurement,
16 he felt that this was acceptable.

17 Q Thank you. I'd like to turn now to Exhibit 18.

18 A Number 18.

19 MR. WILSON: Those are your notes from the '86
20 visit.

21 MS. GOLDSMITH: And I'd like to get out my
22 glasses.

23 THE WITNESS: I'll -- I have, I think I made both
24 a copy and I have the original, so, yes. Okay. I have
25 them.

26 //

//

1 BY MS. GOLDSMITH:

2 Q Okay. This is very dense, tiny handwriting, and I've
3 had a lot of trouble trying to read it. So I'd like to ask
4 you some introductory questions about what prompted these
5 notes being made, and then try to go through them.

6 You testified at the last portion of this
7 deposition that you made a trip to the Mono Basin in 1986.
8 And that it was a detour on a vacation, I believe, to Boise
9 Idaho?

10 A Visit our family in Boise.

11 Q Right. Was this the first time since you'd been in
12 service in the Mono Basin that you were back?

13 A I recall -- I recall one other trip, but not for -- it
14 was just -- it was just in and out of the -- it was just in
15 and out of the Basin, we didn't stay anywhere. I think we
16 just -- it wasn't for any length of time at all, but I
17 don't recall the date of that, but this is certainly, this
18 was the first trip where I was really focusing on the
19 appearance of the area after all those years.

20 Q On the trip that you mentioned that preceded this 1986
21 trip, do you have any recollection of where you went before
22 or after or how it was that you happened to be -- find
23 yourself at the Mono Basin?

24 A I'm just not at all sure. On one of the trips we
25 wanted to see Mr. McPherson, Wallace McPherson and his wife
26 in Bridgeport. And I think basically the purpose of the

1 trip was to see them, and I -- the purpose of the trip is
2 focused around the visit to the McPherson's in Bridgeport,
3 and hence the reason why I'm not able to recall. I didn't
4 do any side tripping at all.

5 Mrs. McPherson had been ill, and very good
6 friend of my wife's, and we wanted to see her. So I didn't
7 do any side tripping incident to that.

8 Q Okay. In 1986 why -- what prompted your making this
9 detour?

10 A Like I say, I wanted to -- this time, I wanted to --
11 one of the things was see our old home at Gull Lake, and
12 since I had received some communications from the Mono Lake
13 Committee, publications and so on, and seen press and so
14 on, I wanted to see Mono Lake again and see Rush Creek and
15 the site where we conducted the creel census.

16 And it was a nostalgic thing, and also went
17 to Lee Vining Creek and see as much as I could in the very
18 limited time, including Grant Lake and up through Silver
19 Lake and Gull Lake and Silver Lake and then on home.

20 And incident to that I took a series of
21 pictures, of slides, a few slides, and I made the notes
22 based on the observations of the evening before on Lee
23 Vining Creek and the next morning, 9:30, the 30th of
24 September, on Rush Creek and the rest of it.

25 Q Is it your normal practice to make extensive notes on
26 vacation trips like this.

1 A Well, since I had been a biologist over there for a
2 long time, and because of the -- my interest in the streams
3 in the area, and knew people over there, and I wanted to
4 try to recall as much as I could on the basis of the trip.

5 I also was interested in recording, putting
6 down sight observations, just what I had seen. I didn't
7 know, I had no idea at that time what I might do with them
8 or anything like that. I just made a record of what I saw
9 to the best of my ability.

10 Q Had it crossed your mind at that point that at some
11 point in the future you might eventually be called on to
12 testify?

13 A No, it really didn't. I wanted to, and had I had a
14 notebook along, I would have probably used more or less the
15 standard form that we have in several of our exhibits. I
16 just had -- I just had some paper, this lined sheet, and I
17 scribbled on it, wrote on it.

18 Q Did you have any thoughts about the use that you might
19 put those notes to later?

20 A I really, at the time I really thought that they might
21 be of value. They might be useful.

22 Q Was that part of your purpose in revisiting these
23 sites?

24 A The basic purpose was to see what was there, what --
25 tried to appreciate what had happened in the intervening
26 years.

1 Q So, can you sort of walk me through this visit from
2 when you arrived and where you went specifically. I'm not
3 sure if reference to some of the exhibits that are maps
4 might be useful, if they are please let me know and we'll
5 get them out and take a look at where it was that you went
6 when you made these notes, and read them out loud.

7 A All right.

8 Q How about starting at the top?

9 A We arrived on the 29th of September and settled in
10 for the night at -- in Lee Vining, then after doing that I
11 went to Lee Vining Creek.

12 Q Where did you stay in Lee Vining?

13 A It was a Best Western Motel. I'm not --

14 Q Good enough.

15 A Okay. And I proceeded to Lee Vining Creek to look
16 over the section there below the diversion and above the
17 diversion dam to see -- I had heard and read in the press
18 of a release that was going to be made or to be made in the
19 stream that had been depleted of water by diversion at the
20 diversion dam.

21 And I had an idea that this would -- it
22 would be well to see what was happening there and compare
23 this with the natural, normal stream in-flow into the four
24 bay pool, so I went to this section and looked over that
25 section of the stream the evening before.

26 Q That's on September 25th?

1 A On the 29th.

2 Q On the 29th, sorry.

3 A Yes, on the 29th.

4 And then I went down as far as -- walked
5 down the stream on that section into -- down through the
6 cover, yes, lodgepole grove, and adjacent willow cover,
7 adjacent to the stream, and then I made an estimate, an eye
8 estimate of the flow, just wanted to know what was flowing
9 there, and I came up with this.

10 Q At what place in the stream?

11 A Well, at the place just above, it was below the
12 diversion dam but above the -- just above the culvert. It
13 looked like a very good section of stream, very excellent,
14 excellent piece of stream, and I just made an eye estimate
15 of what the flow was there. And I noted the stream as
16 about ten feet wide, an average depth appeared to be about
17 seven inches there at that point, and I estimated five
18 second feet, and there were excellent spawning gravels.

19 Q You're now reading from Exhibit 18?

20 A I'm reading from Exhibit 18 and I'm running through
21 those notes.

22 Q Thank you.

23 A And the willows and grass-lined banks, the arboreal
24 makeup consisted of lodgepole pine, aspen, willows, there
25 were good pools and riffles, and the lodgepole grove about
26 the ranger station area, that is one reason I guess that

1 the station was established there. And to the foot bridge
2 and above I noticed the bottom consisted of boulders and
3 gravel, rubble, mixed course of riffles and runs, and the
4 section appeared to be an excellent small trout stream.

5 And I noted there that the flow estimate was
6 made by the eye method, and just -- then below the culvert,
7 the stream was more rapid with boulders and large rubble,
8 shorter and somewhat deeper pools, there was lodgepole
9 pine, Jeffrey pine, aspen willows with gasses and mosses at
10 the stream banks in places.

11 Q Did you see any fish?

12 A Yes, I saw one which I thought was an Eastern Brook,
13 fish about, I would say, perhaps eight inches, about eight
14 inches long.

15 Q In which section?

16 A In the section below the culvert. There was a pool
17 just below the culvert, and the fish ran up into that pool
18 below the culvert.

19 Then I walked up to the diversion to see the
20 stream above the four bay pool, and I noticed there was a
21 magnificent stream, times ten, the mind's eye of what was
22 there below the -- in the section below the dam, times ten
23 of flow below the culvert, below the ranger station.

24 And the flow there was possibly 50 second
25 feet, entering the four bay pool, fine rubble, coarse
26 gravel, the width, 20 to 50 feet wide, it's spread out

1 quite a little ways before it entered the four bay pool,
2 was crystal clean, riparian cover included more large
3 aspen. And I made a note there to check the USGS water
4 supply papers as to what that was, but I never had access
5 to water supply papers, so I just didn't.

6 Q Why were you going to check with the water supply
7 paper?

8 A I was curious to see how close my estimate would
9 compare with what was actually recorded into the four bay
10 pool.

11 And I noted that the Los Angeles-Venturi
12 Weir was located about a thousand feet above the diversion,
13 and that weir would probably have a -- well, yes, it would
14 have a welling gauge or well gauge there for records, and I
15 never saw any of the records.

16 Then on the 30th of -- next morning, I then
17 proceeded to take a look at the stream below -- above and
18 below the power house 395, boulders, heavy rubble, there is
19 -- and the stream makes the bend and starts down under the
20 highway and then it plunges off, cascading so to speak with
21 huge boulders and heavy rubble, and then it drops down
22 through the riparian cover and becomes more moderate, four
23 ways. And then, well, it's rapid, then to moderation, more
24 moderate, and then rapid and then as it goes off toward
25 Mono Lake.

26 Q Your description has carried us how far down the

1 stream?

2 A We have gone down now to just about opposite town.

3 Q Is that above or below 395?

4 A That's below 395.

5 Q Is it above or below the powerhouse?

6 A From above the powerhouse.

7 Q Okay.

8 A Down to below the powerhouse.

9 And so I noticed the boulders and heavy
10 rubble, many small pools, some one and a half feet, two
11 feet deep. There was abundant white water as the water was
12 cascading down this area, and I noticed it makes good but
13 very small trout stream at plus or minus five second feet.
14 Again this is an eyeball figure, but considering the time
15 of year and the seasonal temperatures.

16 Riparian cover was dominated by black
17 cottonwoods, Jeffrey pine, wild rose thickets, sage and
18 bitterbrush, and it was quite a tangle down through the
19 section, about opposite camp. There was a little, maybe an
20 anglers' camp where somebody had set up a sleeping bag or
21 something for fishing, and I walked down below that, worked
22 my way through, it's quite a tangle, as I got farther down
23 it was more passable.

24 Q Where the camp was?

25 A The camp was about opposite town.

26 Q Okay.

1 A The -- I noted that the stream gradient tended to
2 lessen and become somewhat more open opposite Lee Vining,
3 and there were larger pools. And one thing that I did
4 notice was the pocketed gravels that -- there wasn't much
5 of this up in the cascading portion, but right where it
6 reached just about the bottom, started to moderate, the
7 gradient started to moderate then, and where you had this
8 gravel pockets, and I visualized these gravel pockets in
9 the fall being used by whatever fish, browns or Brooks,
10 mostly browns in the stream.

11 There were many good pools, some small
12 patches of spawning gravels make a workable fishing stream
13 at this flow, and again time of year and season. From here
14 to about 500 yards below there was a fire or wickiup camp,
15 think I refer to, the stream was more gradual in descent to
16 Mono Lake from the old lake edge on. All is open to
17 present lake level.

18 Q Where do you identify the old lake edge in your mind?

19 A This was difficult to identify, but it would be in the
20 vicinity, in mind's eye and recollection where the cover
21 broke off, there were willows that went on down following
22 the stream, but where the main cover broke off. As I
23 recall in the early years the similar situation obtained,
24 but a large cover went on down to a lower elevation. So
25 about where -- about where the large cover broke off to the
26 willows and the low stream riparian cover was what you

1 would interpret to the lower lake level. This was all open
2 to the present lake level.

3 Then I notice this there the Gunite slick
4 off the powerhouse, those should be eliminated, temporary
5 filters, fish movement, started home. I made a note there
6 regarding the Gunite slick opposite the powerhouse thinking
7 it should be eliminated to facilitate fish movement, local
8 migrations up and down stream.

9 In my opinion the stream flow should never
10 be reduced below the volume so long as man controlled at
11 any season of year, and it was just a bare minimum so --
12 and you could see that there was habitat there that would
13 support trout, and if it went much lower it wouldn't
14 support trout, many anyway, and that hence the reason for
15 that note.

16 Q How far down did you walk, all the way down?

17 A I worked my way along the edge of the stream, back and
18 forth, crossed over on one side, then I crossed back on the
19 town side, worked my way down. I did not at that time go
20 down to -- all the way down to the lake.

21 Q How far did you go?

22 A I went down to below town where there's a -- there's a
23 -- there was a building on that side. I can't remember --
24 I guess it was a -- seems to me it was a service station or
25 business on that side, and I went down below far enough
26 where I could look far enough and you could see that

1 building, but I did not go all the way to the lake at that
2 point.

3 But I did see from the roadside, I did see
4 the remainder of the stream from the road. And I had
5 binoculars and I was able to scan the stream from that
6 point on down to the lake edge, and I noticed the stream
7 was somewhat braided, and there were willows of various
8 growth along the stream to the lake edge.

9 Q On Exhibit 18 it looks to me as though that concludes
10 your notes on Lee Vining Creek, although there are a number
11 of notes written vertically on the margin. Do any of those
12 pertain to Lee Vining Creek?

13 A (Witness reviewing documents.) On -- at one point
14 just above where the exhibit mark is I made the note the
15 actual release turned out to be at very least ten second
16 feet, I was able to ascertain that at a later time and that
17 was from a press release.

18 Q Can you read the notes that the little finger is
19 pointing to?

20 A Yes. Releases into the stream channel immediately
21 below the diversion dam should be sufficient to maintain a
22 flow of twenty second feet at one-tenth mile above the
23 entry point contour to Mono Lake.

24 And this was from nobody's recommendation
25 except that it was my experience estimate at the time of
26 what the bare minimum should be. After having seen all

1 this, and made an estimate of five second feet plus or
2 minus above 395, and five second feet plus or minus below
3 395, I thought that at least as a minimum, considering the
4 qualities of the habitat and the character of the stream,
5 that comparing it with other streams that I'd seen,
6 east-slope streams, that a minimum would be perhaps twenty
7 second feet. And I attempted to visualize the stream at
8 that flow and hence the note there.

9 Q Did you visualize four times the flow that was there,
10 or did you visualize other streams that you were familiar
11 with?

12 A I compared it with other streams in my experience, and
13 I felt that considering the qualities of the habitat, the
14 quality of the stream, it would certainly merit at least
15 that flow.

16 Q Again, I want to be clear, did you visualize it at
17 four times the flow you thought was there at the time?

18 A No, I actually visualized -- since I've had experience
19 with many streams in the very extensive biological of a
20 fishery, we survey them, so before I went there was a sign
21 to the east slope and then up and down the east slope. I
22 attempted to compare it with streams that were perhaps
23 comparable to that situation, and coming more to focus on
24 east-slope streams it seemed to me to make more sense to
25 note that.

26 Q How long did it take you to make this reconnaissance

1 of lower Lee Vining Creek?

2 A Well, it was done in the evening from about -- in the
3 evening portion was done in the evening from before
4 dinnertime to about almost dark. And then in the morning I
5 was up at daylight, and like to see birds and everything
6 that I can in the early hours and it's quiet then,
7 listening to bird sounds and other wildlife voices, and I
8 proceeded down to the section to reconnoiter the section
9 below 395, took another look at it above the highway, and
10 then spent the most of the time below the highway, in time
11 for oh, perhaps, a late breakfast and check out and then on
12 because we had in mind returning to Napa that day. And so
13 we got away reasonably late in the morning.

14 I had in mind also if I was going to get any
15 pictures I should wait until the time the sun was up at a
16 higher point.

17 Q Did you see any fish?

18 A I saw fish -- I saw the one fish in the upper stream
19 the evening before, right -- went into the pool below the
20 culvert, and then I don't recall -- I don't recall seeing
21 -- I don't think -- I don't recall seeing any fish below
22 highway 395. I was looking for them, but I was also
23 looking for other things including the fish, but I didn't
24 see any.

25 One of the things that made it difficult
26 down below highway 395 was the canopy, there was a lot of

1 cover, it was well canopied over and a lot of shade, and
2 browns are very, very sensitive to foot pads along the
3 stream. They just -- just the vibration like that
4 (indicating), slight vibration and they'll head for cover,
5 and there was a lot of cover down there.

6 Q Then you went on to Rush Creek?

7 A Yes, then I went on to Rush Creek, and the --
8 examined, upon arrival at Rush Creek, I examined the -- I
9 say examined at the washed out bridge in the lower section.
10 Just what would be in early times, early years, an
11 extension of the road across a culvert or a bridge, but as
12 far as I could go, parked the car and walked over to the
13 edge.

14 Q Was this toward the lake or toward --

15 A Toward the -- this was -- where I stopped was actually
16 toward the stream, but the lake would be to my left, be to
17 the north of where I stopped, beyond where the old Clover
18 home was.

19 Q So it was down toward the lake?

20 A Yes.

21 Q The county road?

22 A That's right, right close, within the map it would be
23 -- it was right here (indicating) where the road -- let's
24 see, no, that's -- it would be right in this vicinity,
25 right here (indicating), where the road did cross at that
26 time, at the time across the creek.

1 And if I may, I was -- I wasn't prepared for
2 what I found, it was -- in all my years as a fisheries
3 biologist I've never seen a scene that was so devastating,
4 so to speak, in terms of stream biology, aquatic biology
5 and fisheries. I have seen a lot of flood flows, and the
6 workings of highway flows over on the coast in the Eel
7 River and the coastal streams, but I was downright shocked
8 at what I saw, and I confess, I was somewhat, I was a
9 little choked.

10 Q What was it you saw that shocked you?

11 A What I saw that shocked me was the terrible incision,
12 the frightful effects of flooding, erosion, through the
13 glacial till and the pumiceous dust and so on which had
14 occurred from -- at some time. I did not know at that time
15 what those flows were or when they occurred, but all I
16 could see was the effects, and subsequently that's when I
17 took the pictures that I brought with me, the color slides.

18 The incision was at least 30 feet deep, wide
19 channel, and the stream was a mixture of heavy gravel and
20 rubble and boulders. The cover was gone, there was no --
21 there was just nothing there that compared with what I knew
22 during the days of the Rush Creek test stream before, when
23 I first saw the stream in earlier years, in late '39 and
24 1940. I -- and I guess thinking at that time just it was
25 just habitat that was wiped out, it was just washed out, so
26 to speak.

1 And so I took a series of pictures. And I
2 thought at that time that it took 4,000 years to build this
3 habitat, as I knew it, and at least 4,000 years, and I went
4 back to the field trips that I made with Dr. Putnam and his
5 interpretation of the age of the Pliocene, glacier,
6 volcanic history of the Mono Basin, and I knew it took a
7 long time to build this up, but just a short time in the
8 life of man to -- for this -- through some circumstances to
9 destroy all this and what we had there, the fishing, the
10 fisheries, the fishery, the habitat and the fishery, the
11 riparian cover, the meadow, and so on.

12 I did not actually at that time go very far
13 up the stream, I actually didn't. I just took the
14 photographs of the crossing downstream and I walked up to
15 where the old Clover home was.

16 Q How far is that from the road?

17 A That would be above the site of the road at that time,
18 it would be, oh, 200 yards at least, and where I could see
19 the stream going up as far as I could. My time was limited
20 and I didn't, wasn't able to, had there been more time, and
21 had I been alone, perhaps I would have walked out on it.

22 Q Who was with you?

23 A I had my wife with me.

24 Q M-hm.

25 A And we did have a long way to go.

26 Q From there where did you go?

1 A From there, following the taking of the pictures, and
2 at least making mental notes of what I saw on the scene,
3 and I made a mental note that I would later examine more
4 carefully the slides when I got them back to see the fine
5 details.

6 I went from there to the old highway
7 crossing of the Cain Ranch. I wanted to see this because I
8 had taken that picture, a shot of that, on the 19th of July
9 in 1939.

10 Q That's an exhibit to this deposition?

11 A That's an exhibit in the deposition of which you have
12 a copy, and incidentally, the field note sheet which I
13 uncovered on that at that time revealed that the flow was
14 not five second feet but one second foot, and I gave the
15 dimensions of that and details of that observation at that
16 time on that note page, wrote that down.

17 Q This was in 1986?

18 A No, no, the note page was for July 19th, 1939, and --
19 but on the 30th of September 1986, I proceeded to that
20 point, took another -- took a picture up there, again I was
21 pretty jolted to see what -- look upstream and look
22 downstream and consider the channel as a -- I used to see
23 it with a cover, the lodgepole, the Jeffrey pines were
24 gone, the pines that I knew, the cottonwoods, a lot of dead
25 cottonwoods, willows were gone.

26 There was still back away from the channel

1 at that time there was -- far back was the edge coverage of
2 sagebrush and some bitterbrush and rabbitbrush, and there
3 were some willows, but the cover had -- it was pretty
4 largely devoid of the cover that I knew back in 1939, in
5 those years.

6 So I took a picture, I took at least one
7 shot, I took two shots looking upstream, one of which was
8 my wife, I asked her to step into the side, for size, and
9 then one looking from the bridge downstream. And after
10 thinking about this for a bit, then I proceeded to Grant
11 Lake, went up the old -- went up to Grant Lake Road to
12 Grant Lake.

13 Then I took a shot up Grant Lake, which I
14 believe is still in this series, and then proceeded up the
15 stream. I wanted to see the inlet of Grant Lake, the inlet
16 area, that I once knew had magnificent groves of aspen that
17 were removed in 1940, and up to the site of the egg taking
18 station. And there were no buildings there, I mean there
19 was no installation there, no facilities there, but I was
20 able to recognize the site. And then up to the Los
21 Angeles-Venturi Weir site, however, I did not stop at the
22 weir at that time.

23 I went on directly to the site of Rush
24 Creek, excuse me, the road parallels Rush Creek and it
25 gives one a good opportunity to see the stream at intervals
26 as it borders the stream from there on up to the outlet of

1 Silver Lake, see the beautiful stretch of Rush Creek there
2 below, in the campgrounds section below Silver Lake.

3 Then I stopped the car and walked over to
4 the outlet of Silver Lake and took a shot of the outlet,
5 tried to get as much of the outlet, Carson Peak, the scene
6 there of the outlet, and how the stream sort of spreads out
7 before it comes together just below the lake, and then
8 proceeds on down the canyon.

9 Q Was that scene comparable with what you remembered?

10 A Yes, there were no changes, I noted no changes there,
11 there had been no -- it was just pretty much like I
12 remembered it years ago.

13 And then proceeding upstream I saw the Rush
14 Creek where it crosses the -- or the highway crosses Rush
15 Creek below the powerhouse, and then proceeded on up to
16 Reverse Creek and where it starts up to -- I wanted to go
17 in to Fern Creek, where I stayed in the hatchery for years,
18 but again time was limited.

19 So I went on up to Gull Lake and on around
20 the lake to where our home was on the other side of the
21 lake. And we looked, full of nostalgia, where we used to
22 live. Then for just a short time, and -- I mean, we just
23 stopped for just a short time.

24 Then I wanted to get up to the head of June
25 Lake and look back and see June Lake again from the -- from
26 what they used to call Old Ridge, I guess they still call

1 it Old Ridge, and I was surprised to see the overlook
2 development that the forest service had put in in the
3 interim, rather extensive development and very surprised to
4 see the beautiful panorama of Carson Peak in the
5 background --

6 MR. WILSON: We may have gotten far enough in the
7 answer to the question.

8 THE WITNESS: -- to home.

9 BY MS. GOLDSMITH:

10 Q To home, how long did that take, when did you finally
11 get home?

12 A Finally headed home -- it was -- by that time it was
13 after noon, it was getting late and we wanted to get over
14 Sonora Pass and get over that into the valley before --
15 kept thinking about traffic, so we wanted to get over the
16 pass.

17 Q Okay. I'd like to ask you to read your notes on Rush
18 Creek that are on Exhibit 18.

19 A Examined at washout bridge lower section. Terrible
20 erosion and bank destruction from flood flows several years
21 ago. And there's a somewhat marginal note there with
22 fingers, Vestal color photos, willows and grassy path
23 riparian to stream all or nearly all wiped out. Flow
24 seconds taken to 15 CFS, followed by a question mark there,
25 I wasn't quite sure because I didn't make any measurement
26 at that point. Fifteen second feet, see pictures taken up

1 and downstream at this point and reexamine in detail, which
2 I did at a later time. Tributaries Walker and Parker were
3 dry at U.S. 395 meanders, meadows still green and probably
4 creeks diverted for some irrigation or more likely total
5 flow is being taken by City of L.A.

6 Q I have a question for you at this point. Did the
7 meadow look -- how did the meadows compare and the flow for
8 Walker and Parker compare with your recollections prior to
9 this?

10 A There was a general dryness. By this time I think
11 that irrigation had -- this late in the season they
12 probably had moved the stock out and there was a general
13 dryness, this was my recollection of it.

14 Q This is your recollection from when you were a ranger
15 there?

16 A It was my recollections from when I was there as
17 district biologist in consultation with the district
18 ranger, Bill Fisher, who first informed me about the
19 numbers and the range of sheep in Pumice Valley, in the
20 Mono Basin. And he gave me -- at that time he informed me
21 about the numbers of sheep and about the time, the schedule
22 every year that they came in and they were moved out. Some
23 years they were moved out a little earlier than others.
24 Might have been moved on other range, I don't know but that
25 was --

26 Q Was the extent of the meadows changed, larger or

1 smaller?

2 A I didn't see -- it didn't look to me as though there
3 had been a great deal of change in the meadows. I didn't
4 really examine them to any great extent, I was more
5 interested in getting to Rush Creek and old 395, compare
6 that with what I'd seen below, so I didn't spend time.

7 Q It didn't strike you as significantly changed?

8 A Not to any great extent.

9 Q Okay.

10 MR. WILSON: Are you referring to just the
11 meadows not having changed or the creek itself?

12 THE WITNESS: Well, I was referring, of course,
13 my recollection is the creeks were dry, and what I could
14 see of the meadows above they were -- it was -- they were
15 dry.

16 MR. WILSON: Again you're talking about 1986?

17 THE WITNESS: 1986, yeah.

18 Then I made a note following that on Rush
19 Creek, pictures were taken at the old bridge above U.S.
20 395, up and down at ten hundred hours, that's 10:00
21 o'clock, estimated flow ten to 15 second feet, and I made a
22 note to check it because I wasn't -- I wasn't sure, have
23 not made a measurement, I wasn't sure just what it was, but
24 it looked to me like it was between ten and 15 second foot,
25 comparison with other flows.

26 Note the absence of riparian cover and few

1 Jeffrey pines. Up view with Ethel, my wife, in the picture
2 toward Parker Lake, Parker Lake and the range behind, wild
3 riffles, coarse gravels and medium rubble, good pools and
4 runs, and some white water.

5 Very comparatively little white water, as a
6 matter of fact, it shows up in the slides. Check pics
7 carefully for details.

8 And then the last note there in that section
9 was one pic at Silver Lake outlet to Carson Peak, however,
10 I did take a picture, stop and take one picture of Grant
11 Lake looking up towards Carson Peak on the way.

12 BY MS. GOLDSMITH:

13 Q On the margin of this first page of Exhibit 18 there
14 is a note that refers to logging by the forest service.
15 Can you tell me what that refers to?

16 A Let's see, on -- (Witness reviewing document.)

17 Q It's this (indicating).

18 A Oh. Oh, yes. (Witness reviewing document.)

19 Yes, it says search old pictures and notes
20 for stream and riparian ecological condition after the
21 stream center diverted, desiccation began, it did not take
22 many years before Jeffrey pines and other arboreal cover to
23 whither and die.

24 One of my last recollections on leaving the
25 Basin was the rusty red of Jeffrey pines below Highway 395
26 looking down Rush Creek where drying had caused plants --

1 and some cottonwoods had withered and died also.

2 Some of the larger, best trees were logged
3 off and sold by the forest service. This was my
4 understanding, that the forest service had -- that somebody
5 had arranged with the forest service so that the trees
6 could be cut. I'm not absolutely sure of just who made the
7 arrangements.

8 Q In what location were the trees cut?

9 A It seems to me that the large, old trees were cut
10 above and below the highway, Highway 395. That is all I do
11 recall about it. Early aerial pics of the area to Grant
12 Lake would show prediversion conditions.

13 And in looking over the aerial photos I
14 noticed a much -- that my recollection of the distribution
15 of Jeffrey pines from Grant Lake down to below the Gorge
16 was pretty much correct, that they were clustered on down,
17 clear on down, beautiful big trees. Some of these were big
18 trees, large trees, they were closer to Grant Lake. Some
19 were lodgepole pines, and a rather dense riparian cover
20 complex consisting of, in addition, black cottonwoods,
21 willows, and the understore of sagebrush and bitterbrush
22 along the banks, rabbitbrush filled in places.

23 MS. GOLDSMITH: Can we go off the record here.

24 (Discussion had off the record; thereafter, a
25 recess was taken.)

26 //

//

1 BY MS. GOLDSMITH:

2 Q On Exhibit 18 I would appreciate it if you could just
3 read into the record the -- what's written there; could you
4 do that? It's the third page marked 032 at the bottom, why
5 don't you read the body and then start on the left hand and
6 read down the margin?

7 A This is the one that has the phone numbers on it.

8 Q I don't know that we need the phone numbers.

9 A I'll start, this is series of notes --

10 (Proceedings interrupted by person entering
11 room.)

12 THE WITNESS: This is a series of notes that was
13 the outcome of a telephone conversation with Eileen
14 Mandibaum, the Eastern Sierra representative of the Mono
15 Lake Committee, regarding Lee Vining Creek on the 27th of
16 August, 1987.

17 She called me, a series of questions at
18 hand, and elicited a response on these questions. And
19 there was a discussion of the prediversion conditions along
20 Lee Vining Creek from the diversion point to the mouth,
21 stream type, the cover, fish life, and so on.

22 I said I felt ten second feet or minimum
23 would be the absolute minimum, as far as flow is concerned.
24 Perhaps an additional 15 second feet would be required in
25 such worse conditions to get clear through to the mouth at
26 Mono Lake.

1 As regards fish, I said there were a few
2 Eastern Brook in those early times, small percentage, but
3 there were mostly rainbow and browns. The brown trout were
4 most able to survive naturally over the years, and a few
5 reached good size, for Lee Vining that is 13 to 15 inches.

6 As regards fishing, it was difficult in the
7 early spring due to high flows, turbulent, great deal of
8 white water, plunging and rapid flow, then it got better as
9 the streams settle down off the main snow melt period. A
10 good deal of fishing was done by locals, but was also used
11 by visitors from the diversion down back of town toward the
12 mouth.

13 I did not recall an established trail, but
14 certainly it was not impossible to work one's way down, for
15 them to work their way down along the stream. Angling was
16 done by the short-rod method due to an abundant stream-side
17 riparian covering, actually very dense, and they just used
18 a very short rod, some of them.

19 And then I made a note to review the
20 diversion site with Bill Banta and the Hess brothers, old-
21 timers in Lee Vining.

22 BY MS. GOLDSMITH:

23 Q Are they still living?

24 A Mr. Banta I'm informed is still living, and I think
25 the Hess brothers are. I know of no member of the Hesses
26 that have been deceased.

1 Q What are the names of the Hess brothers?

2 A Well, there was -- Augie was the one I remember. Hess
3 brothers were part Indian, and Augie was the one that I
4 remember most. They had a garage there in Lee Vining and I
5 used to at times talk with Augie.

6 Q What information would you expect they could provide?

7 A Well, specifically as regards fishing, the camp
8 results from lower Lee Vining and Bill Banta had a store
9 there and I'm sure that he was well-versed on the species
10 and the numbers and the size of fish. Augie did, too, both
11 are fisherman themselves.

12 The -- and then I made a reference, I said
13 local reference in talking, continuing to talk with Eileen
14 Mandibaum, local fishing, referring to fishing frequency
15 and quality to Bill Banta and the Hess brothers, and also
16 included Wally McPherson, who was the son of Venita R.
17 McPherson who was the former supervisor and owner and
18 proprietor of Mono Inn on Mono Lake.

19 On the right-hand side of the -- of this
20 page of Exhibit 18, I note in the upper right corner in the
21 early 1940's they were considering grant for planting of
22 more, BN, brown trout, from Mt. Whitney, Rush Creek stock,
23 the lower reaches of all the Mono Basin streams were full
24 with fingerlings, at that time there was no catchability,
25 browns might be raised.

26 Then dropping to the next marginal note with

1 regard to Lee Vining Creek, angling was at least average
2 intensity at Rush Creek at about ten anglers per mile or
3 about 35 anglers --

4 (Pause in proceedings for Mrs. Vestal to change
5 tape in recorder.)

6 THE WITNESS: Angling in Lee Vining Creek, at
7 least the average intensity at Rush Creek at ten anglers
8 per mile per day, or 35 angler hours per day, compared
9 pretty well with Lee Vining Creek -- with Rush Creek,
10 roughly in proportion to the size and quality of the
11 stream.

12 There was less fishing during the peak
13 runoff period, but gradually the stream became more
14 fishable as the turbulence lessened and the stream got
15 down, it got clearer, there was less white water, it was
16 just more workable as a fish stream.

17 BY MS. GOLDSMITH:

18 Q Mr. Vestal, on what did you base your estimate of the
19 number of anglers and angling hours per day?

20 A Actually, the -- in the absence of any creel census,
21 mostly got from the wardens and the people on the intensity
22 in that lower section of the canyon. He had remarked that
23 that section of Lee Vining Creek from the ranger station
24 down almost to the mouth was one of the best fishing
25 sections in the canyon.

26 And putting this together, reports of the

1 wardens, comments by Mr. Banta and Augie, Augie Hess, and
2 the reports from the anglers, that I inferred that it was
3 pretty close in that section, in that reach, and during
4 those times of the year, pretty comparable to lower Rush
5 Creek.

6 Q Did you yourself verify this at all?

7 A I conducted no creel census, we just did not have the
8 personnel, the money, and the time to do it. We had to
9 depend pretty much on the warden reports.

10 Q Were the warden reports written?

11 A The wardens at that time were submitting regular
12 weekly reports to their captain.

13 Q Do you know the names of the wardens at that time?

14 A Well, the wardens in that area at that time were Webb
15 Talbott, Al Crocker, Jim Londergan came from Bishop, Carl
16 Waters occasionally from Independence, and later there was
17 a warden named Steadman who lives in Lee Vining, Robert
18 Steadman, who -- I'm not sure Bob is still living or not,
19 but last I knew he was living over at the Coast somewhere.

20 Q Do you know what the captain did with these warden
21 reports?

22 A Frankly, I do not. They would have gone to -- judging
23 from the administrative procedures of the department they
24 would have gone to the inspector's office in Los Angeles.

25 Q Do you have any idea whether these are maintained or
26 exist anywhere today?

1 A I would doubt that the same records system is
2 maintained today.

3 Q What typically would a warden report cover?

4 A Warden report would cover the -- a specific daily
5 report would consist of the day, conditions, weather and
6 road conditions, the route patrolled, the -- in many
7 instances the person who is contacted, people and places
8 visited, and then he would summarize based on those
9 contacts and his own direct observations by eye and by
10 binoculars and so on and walking out sections of the
11 streams, his own personal observations of what he saw.

12 He'd bring this together in that closing
13 report for that day, and this series of days, weekly report
14 and so on, and then I am not sure as these are brought
15 together as we did in a monthly report.

16 Q Thank you.

17 A Then on the left-hand side the note there is, the
18 uppermost note is prepare by no later than September 28th
19 for upcoming proceeding, it looks like CT, which would be
20 abbreviation for court, and I'm not sure what court this
21 is. This was something that Eileen told me, Eileen
22 Mandibaum told me over the phone, and what I think this
23 was, but it is scribbled very quickly because I had the
24 page down and receiver in one hand.

25 Releases, as regards releases, with a star
26 on this. Release of fish to -- under Section 5937 to keep

1 fish downstream in good condition and then there was an --
2 omission, this does not mean a fish, I made this point to
3 Ms. Mandibaum over the phone.

4 The intent for many years was for releases
5 for water sufficient to maintain a prediversion normal
6 population, the average population during those -- the
7 normal habitat condition all seasons and all months of the
8 year considering the habitat, the food production, spawning
9 and so forth, for a series of years in good condition, that
10 is able to move up and down freely to spawn, to complete
11 all normal and usual life history stages.

12 And then appended to that note there was a
13 whole question of minimum flow, see notes of last fall,
14 September 1987. I don't know what -- and then I made a
15 note there to check photographs, available notes, on pre
16 and postdiversion situation. And there I was reminded --
17 reminding myself to try to find survey notes and logs.

18 Lee Vining Creek may have been planted
19 originally with Cutthroat, later it was stocked with brown
20 trout from Mt. Whitney and rainbow trout from Hot Creek.
21 Eastern Brook may have drifted into the lower reach from
22 natural propagated fish upstream, perhaps from the Tioga
23 and Ellery Lakes. However, I must say that Eastern Brook
24 actually were at one -- at one interval, at one time there
25 was some planting in Lee Vining Creek above -- up to the
26 junction of the Warren Fork with Lee Vining Creek which is

1 some four and a half, five miles upstream.

2 Earlier plants of brown trout were called
3 Loch Leven, later brown trout, later called brown trout,
4 which fishery biologists realized all variations come from
5 typical production most years. Every variation occurred in
6 the production from the typical classical Loch Leven with
7 halos, spots and halos on the sides, up to well dense brown
8 spots and well up onto the gill covers, and a golden bronze
9 back color.

10 Q Thank you. On the 6th page of Exhibit 18 which is
11 marked 0036 at the bottom on the right-hand margin are
12 stream flow notations for four creeks, Rush Creek, 70 CFS;
13 Parker Creek, 25 CFS; Walker Creek, 25 CFS; Lee Vining
14 Creek, 50 CFS; and underneath that is a fishing plus 50
15 CFS. What is the meaning of those notations?

16 A I guess they were -- the significance was a mind's eye
17 view of what the minimum flow in these streams might be.
18 Considering most years, I had -- I did not have at hand a
19 complete hydrologic record of all of the streams, and I
20 guess I visualized what a minimum flow might be considering
21 the habitat in toto.

22 And so I listed Rush Creek, 70 second feet;
23 Parker Creek, 25 second feet; Walker Creek, 25 second feet;
24 and Lee Vining Creek, 50 second feet. And as far as the
25 plus fifty second feet, I don't know what that refers to.

26 Q Is it -- is it your opinion given your experience on

1 eastern streams of the Eastern Sierra that these flows
2 likely would produce a good fishery?

3 A Flows of this kind would probably produce a good
4 fishery, but they would not realize, without applying the
5 type of assessment of the habitat that I referred to
6 earlier in my testimony to the stream, they would not
7 realize the full potential of production in terms of
8 fishery, fishery biological potential of the stream and its
9 habitat.

10 So I would have to -- I would certainly want
11 to evaluate from that standpoint very carefully.

12 Q Thank you. In addition to the weekly warden reports
13 that you mentioned a few moments ago, are you aware of any
14 records that the Fish and Game Department collected
15 concerning fish populations in the Eastern Sierra streams,
16 particularly in the Mono Basin streams?

17 MR. WILSON: You mean in addition to the field
18 reports that he's already produced?

19 MS. GOLDSMITH: Right.

20 THE WITNESS: No. You refer to the Department --

21 BY MS. GOLDSMITH:

22 Q Of Fish and Game.

23 A No.

24 Q Are you aware of any creel studies on any of these
25 streams?

26 A No.

1 Q Or any special censusing of fishing people say on
2 opening day?

3 A No.

4 Q Or estimates of angling days?

5 A No.

6 Q In 1940 I believe, I don't know if you've seen this
7 document, but there was a protest the Department of Fish
8 and Game submitted to a diversion, and it had an estimate
9 of angling days on these streams. Are you familiar with
10 that protest on Rock Creek?

11 A On which creek?

12 Q A protest of an appropriation on Rock Creek, and it
13 estimated angling days in Lee Vining and Rush, I don't
14 believe it estimated on Walker and Parker, it had an
15 estimate there. If you're not familiar with it, that's
16 fine.

17 A No, I'm really not, I don't call it up readily.

18 Q Okay.

19 A We -- the Department carried out a study on Rock
20 Creek, but I'm not -- I don't recall it.

21 Q This protest, it had estimates of angling days on Lee
22 Vining and Rush Creek.

23 A I don't recall that, no.

24 Q I don't know how they came up with it, and I was
25 wondering if you were familiar with it.

26 A No.

1 Q All right. Let's take a look at the slides that
2 you've brought. We'll need to mark the box I guess as the
3 exhibit and perhaps refer to the slides as A, B, C, D, and
4 I'd like to arrange to have copies of the slides made --

5 A All right. We will see that you --

6 Q -- with the notation so I can cross-reference.

7 MR. WILSON: So that this Exhibit is going to
8 have to be separated from the transcription for a few days.

9 (Whereupon, a Metal Box Containing Slides
10 was then marked as Exhibit No. 24, with
11 prints of slides contained therein to be
12 marked individually as Exhibits 24-A
through 24-N by the Witness for
identification.)

13 MS. GOLDSMITH: On the record.

14 BY MS. GOLDSMITH:

15 Q You have some slides, and we've identified them as
16 Exhibit 24, and I'm not sure how many there are, but we'll
17 identify each of the slides by letters, so the first one
18 will be 24-A, the second one 24-B.

19 If you could just describe for us briefly
20 what they show and when they were taken I'd appreciate it.

21 A All right. Slide number 24-A was a -- shows the inlet
22 of Parker Creek taken on the 2nd of July 1950, the flow,
23 with a flow of approximately 12 second feet. District
24 Ranger W. L. Keen to the left for size, and shows
25 comparable size of the stream, shows the typical gravel in
26 the inlets and the riparian cover, here predominantly

1 willows.

2 Q Mr. Vestal, when you say the inlet, what is it the
3 inlet to?

4 A The inlet to Parker Lake.

5 Q Above the City's diversion?

6 A That's right.

7 24-B is the alevin below Parker Lake taken
8 the same day at a flow estimated to be 30 second feet,
9 again this is above the L.A. diversion weir. It shows the
10 riparian cover, grasses, grassy bank, the willows adjacent
11 and predominantly lodgepole pine on either side,
12 comparatively little canopy of the stream at this point.
13 Excellent gravels, pools and runs in this section of
14 stream.

15 This is a section of Gibbs Creek.

16 Q 24-C?

17 A This is 24-C, taken -- a section of Gibbs Creek taken
18 in June of that year showing a section of Gibbs Creek,
19 typical section of Gibbs Creek above a logjam which we
20 planned to remove, excellent gravels, very fine flow in
21 this section.

22 District Ranger Keen in the top center,
23 riparian cover here also willows and adjacent banks with
24 lodgepole pines.

25 This is a section -- this is 24-D, showing
26 the outlet of Gibbs Creek and the logjam which we had

1 planned to remove in cooperation with the Forest Service.
2 Again Mr. District Ranger W. L. Keen standing on the
3 tremendous logjam in the stream blocking spawning migration
4 of the trout.

5 24-E shows Rush Creek, lower Rush Creek
6 opposite where the road crossed the stream, looking
7 downstream toward Mono Lake, and shows the extensive
8 destruction by catastrophic flows, very high flows,
9 incision, cutting down to the stream, estimated perhaps 30
10 feet at this point from the thread of the channel up to the
11 top banks.

12 Q Mr. Vestal, when was this taken?

13 A This was taken on the 30th of September 1986.

14 Q And on what basis did you conclude that it had
15 recently -- previously been not incised?

16 MR. WILSON: You mean what was he comparing it
17 to?

18 MS. GOLDSMITH: No, what was the basis for his
19 conclusion that this incision was of recent origin?

20 THE WITNESS: It hadn't -- the incision hadn't
21 been too recent, but it had been recent enough to drive
22 down or drop down the channel, cut down the channel to that
23 extent.

24 At that point I wasn't at all sure just when
25 it had occurred. Recent in terms of the time between I
26 last saw the stream and the time that I saw it on the 30th

1 of September.

2 BY MS. GOLDSMITH:

3 Q When did you last --

4 A In terms of geologic time, of course, it would be
5 very, very recent.

6 Q When did you last see the stream before the picture
7 was taken?

8 A The last time was in the fall of 1950.

9 Shows here also the banks, the slope of the
10 banks and the stream incised to the point where the willows
11 and cottonwoods bank cover down to this point is gone, just
12 destroyed.

13 This is a -- 24-F is Rush Creek looking
14 downstream, shows the bottom heavy rubble and boulders, and
15 rather low flow. I did not know exactly what the flow was,
16 but at a normal flow there would be much more white water
17 and the spread of the stream would be much greater on the
18 channel width at this point.

19 Q Mr. Vestal this was taken looking downstream of
20 approximately the county road in 1986?

21 A Yes, yes.

22 This, 24-G is, while not too distinct is
23 looking upstream toward in the direction of the upper
24 bridge and toward the meadows, the back, generally toward
25 Mt. Wood and Carson Peak and San Joaquin Mountain in the
26 background there.

1 But again shows the incision of the stream
2 as it progressed upstream toward the meadows section of the
3 stream. Shows debris, the stream itself is not too
4 distinct, but is in the lower -- more apparent in the lower
5 left side of the photograph. The next photograph will
6 probably show it better. Yes.

7 Shows the stream.

8 MR. WILSON: On 24-H.

9 THE WITNESS: 24-H shows the debris, again the
10 spread of the erosion and incision of the stream, and cut
11 back to some of the adjacent cover there.

12 It appears like there are willows on the
13 extreme right center of the photograph, in general looking
14 toward -- this particular picture is looking toward the
15 Parker Basin and Mt. Wood.

16 And as I recall I estimated the flow perhaps
17 at plus or minus 15 second feet at this point. Again
18 there's no apparent white water, not too much white water.
19 It was comparatively low stream. Just not much of a stream
20 at all, quite a bit of debris is shown in the picture.

21 This is --

22 BY MS. GOLDSMITH:

23 Q 24-I.

24 A 24-I taken at the -- near the road crossing,
25 attempting to take the picture across the channel,
26 downstream, shows the downtrend of the debris along the

1 stream, shows the bottom fairly well.

2 There's no, virtually no white water showing
3 in this flow, and the bottom consisting of some rather good
4 gravels low down in the stream, then rubble, of course
5 rubble, and cobbles and boulders, looks like some fractured
6 volcanic material on either side of it, one large boulder
7 in the lower right-hand corner which I inferred to be
8 perhaps a glacier boulder.

9 This would be 24-J, Rush Creek looking
10 upstream from old 395 Highway bridge. My wife is standing
11 on the right bank for size there. Here the channel is
12 spread out at this particular crossing. I thought at first
13 it might be a jeep crossing, but it was just a spread of
14 the stream.

15 Some white water is showing at this flow,
16 the bank is devoid of willows, very little debris but there
17 is a rather -- shows a rather good distribution of gravels
18 in the bed, main bed of the channel itself. Had
19 opportunity permitted I'm sure that the good gravels in
20 this particular reach of the stream would have been born
21 out.

22 Looking up into the distance we began to
23 see, looking toward the Parker Creek here, Parker Basin,
24 mouth of the Parker Basin, and to the left we see some
25 Jeffrey pines, scattered pines along the thread of channel
26 of Rush Creek as it goes on up, and then to the left toward

1 Grant Lake.

2 This is another photograph of the same
3 reach.

4 MS. GOLDSMITH: We're on K.

5 THE WITNESS: This is 24-K looking more toward,
6 let's see, Carson Peak, and in the upper, extreme
7 upper-left corner of the photograph, and we have this, more
8 of the large, the Jeffrey pines and the forest cover
9 following the stream on down.

10 But here again this particular angle a
11 little more white water showing, a little bit more in the
12 foreground of the stream at 15 second feet estimate, and
13 the channel eroded by high flows at sometime in the past,
14 recent past.

15 This particular section shows a really,
16 basically a good channel structure, and at normal flows a
17 potential for a good trout stream.

18 BY MS. GOLDSMITH:

19 Q Mr. Vestal, are all of the slides from here on taken
20 in 1986 or so?

21 A Yes. These -- all of these slides are taken, yes, the
22 Rush Creek slides were taken in 1986, that's correct.

23 And this a photograph from the bridge
24 looking downstream showing white water there at the -- just
25 below the bridge, and pretty much the same basic structure
26 of the channel as we're looking toward the White Island at

1 Mono Lake.

2 Here as I say cobbles and heavy rubble, some
3 boulders, probably glacier boulders that were moved down
4 the channel at high flows, but the structure of the channel
5 itself on close examination would show some good gravels,
6 good food producing areas in this section. And normal
7 flows this would be a fine section of stream, and there's a
8 little bit more white water shows in this picture, a little
9 bit more than in the previous one.

10 This is 24-L.

11 BY MS. GOLDSMITH:

12 Q That's the picture you just are describing?

13 A Yes, 24-L.

14 And 24-M is a picture looking up Grant Lake
15 from roadside at whatever elevation, I am not sure of that,
16 looking towards Carson Peak, the snow covered peak in the
17 center, Reverse Peak at the left, and Mt. Wood is off the
18 scene to the right, typical shot looking at Grant Lake.

19 And 24-N is taken of the outlet of Rush
20 Creek, taken at Rush Creek at the outlet of Silver Lake and
21 looking toward Carson Peak on the right, Mt. San Joaquin is
22 the snow covered peak just to the left, off the left
23 shoulder of Carson Peak, and showing the typical bank side,
24 lake side, bank side cover here of some aspen, but
25 lodgepole pine predominantly on the left.

26 Rush Creek in this section from the outlet

1 of Silver Lake down to at least the main bend of the
2 highway, approaching the Los Angeles-Venturi Weir is a
3 beautiful, very productive stream.

4 That's it.

5 MS. GOLDSMITH: I'd like to have a stipulation on
6 the record that Mr. Vestal can retain custody of the slides
7 and prepare prints for the deposition reporter to include
8 with the transcript, that will be lettered as we've
9 described.

10 THE WITNESS: Yes, we will see that this is done.

11 MR. WILSON: So stipulated.

12 MS. GOLDSMITH: This is all of the questions that
13 I have at this time.

14 MR. WILSON: Why don't we take a lunch break.

15 (Lunch recess taken at 1:00 p.m.; thereafter, at
16 2:30 p.m. the deposition resumed.)

17 MR. WILSON: Back on the record.

18 These are just a few more corrections to the
19 transcript that we hadn't picked up on the first time
20 around, and we want to make sure they're on the record.

21 THE WITNESS: We're eliminating exhibit -- the
22 duplicate of Exhibit 8, I guess that was understood that we
23 had a duplicate, was that right?

24 MS. GOLDSMITH: Yes, but I think we weren't going
25 to change the numbering.

26 MR. WILSON: This was -- has a misspelling.

1 THE WITNESS: On page 74, line 5, there should be
2 inserted the words "50 second feet," not five second feet
3 because this pertained to the flow at the Los
4 Angeles-Venturi Weir below Silver Lake.

5 MS. GOLDSMITH: Okay.

6 THE WITNESS: On page 99, line 9, was
7 inadvertently omitted lodgepole pine and willows out of the
8 sentence.

9 MR. WILSON: That might have been all.

10 THE WITNESS: We had some spelling here.

11 MR. WILSON: These are all.

12 THE WITNESS: Oh, these were all sent in, okay.

13 Did we get --

14 MR. WILSON: There was another word, you had
15 found the word entirelyly.

16 THE WITNESS: Yes, I'm trying to find the exact
17 page and line. Oh, yes, page 32, line 19, the word
18 t-a-r-r-y, should be "entirely," and line 24, line 5 --

19 MR. WILSON: Page 24, line 5.

20 THE WITNESS: Page 24, line 5, should be
21 "immaturity," not maturity of these fish, and so forth.

22 MR. WILSON: Minor points, but in the interest of
23 precision the five was more important.

24 Are you going to tell us again how you found
25 these, you listened to the tape.

26 THE WITNESS: Oh, yes, I listened carefully to

1 the tapes that were taken before, and played the tape at
2 these locations a couple of times in order to make sure of
3 what was said and what was transcribed.

4 MS. GOLDSMITH: Can we go off the record for a
5 second.

6 (Discussion had off the record.)

7 BY MS. GOLDSMITH:

8 Q Mr. Vestal, if you recall last January when we took
9 the first part of this deposition you produced a series of
10 folders with documents that you had preserved from the time
11 when you were a biologist in the Mono Basin.

12 A Yes. And those papers were reproduced by the court
13 reporter and sent to us, and they were numbered
14 sequentially. I'd like to refer now to page numbers 89
15 through 307 and have those marked as Exhibit 25.

16 Can you tell me what they consist of, what
17 these documents are?

18 MR. WILSON: That's this file.

19 THE WITNESS: These documents are weekly and
20 monthly reports to the Bureau of Fish Conservation from
21 approximately April -- no, approximately March 26th, 19 --

22 (Ms. Goldsmith hands documents to Mr. Vestal.)

23 THE WITNESS: The first one is a monthly report,
24 I was referring to the earliest document which was a weekly
25 report. They consist of weekly and monthly reports for the
26 period cited.

1 BY MS. GOLDSMITH:

2 Q Who wrote these?

3 A I prepared them.

4 Q And was this part of your duties as a fisheries
5 biologist?

6 A Yes, a weekly -- the documents prepared first as a
7 weekly report from field notes, field records, summarize on
8 a day-by-day basis, brought together at the end of the
9 month for a monthly report submitted to the Bureau of Fish
10 Conservation office in San Francisco.

11 Q Did they generally describe your activities on days
12 and for the weeks and months, they did?

13 A Yes, they did.

14 Q How did you happen to have the set?

15 A I kept carbon copies of all of the reports that were
16 submitted to the Bureau of Fish Conservation.

17 Q Are the pages that I indicated copies of the carbon
18 copies that you kept?

19 A Yes.

20 Q Is it fair to say that significant observations would
21 be reflected in these papers?

22 A Yes.

23 MR. WILSON: Did you understand what significant
24 means? I guess I'm objecting, it's a little vague.

25 BY MS. GOLDSMITH:

26 Q Unusual?

1 A Yes, I think in many instances, in many instances
2 significant observations were recorded in these reports.
3 No -- in not every instance was a special report indicated
4 to the Bureau, to my supervisor or to the chief of the
5 Bureau. If a special report was required they would call
6 upon me. Yes.

7 MS. GOLDSMITH: Thank you, I don't have any other
8 questions about these.

9 (Pause in proceedings.)

10 (Whereupon, a File Folder and Its Contents
11 was then marked as Exhibit No. 25 for
12 identification.)

13 MR. WILSON: Back on the record.

14 EXAMINATION

15 BY MR. WILSON:

16 Q What I want to do now is just go through and we have a
17 few questions we want to ask. We also have, and this is
18 what I wanted to do at the outset, a few more documents
19 that you produced this morning, and I just want to go
20 through those briefly and identify them and add them as
21 exhibits to the deposition.

22 A Yes.

23 MR. WILSON: Let's mark as the next exhibit a
24 copy of a photo.

25 (Whereupon, a Copy of a Photograph was then
26 marked as Exhibit No. 26 for
identification.)

//

//

1 BY MR. WILSON:

2 Q Can you identify Exhibit 26 for us?

3 A Yes, Exhibit Number 26 is a photograph by Joseph
4 Dixon, then of the Museum of Vertebrate Zoology at
5 Berkeley, California, form number 2176, taken by Mr. Dixon
6 during a field trip in connection with survey work planned
7 by Doctors Joseph Granell and Tracy Store in connection
8 with the animal life, their eventual publication "Animal
9 Life in Yosemite." It was a trans-Sierra transect from the
10 valley over to the Mono Basin through that area.

11 And Mr. Dixon was part of the field crew,
12 and he took this photograph, number 2176 at the mouth of
13 Lee Vining Creek in Mono County. Apparently Mr. Dixon
14 stood at a point right at the actual mouth where he could
15 look up the corridor of the stream at midday.

16 And as near as I could interpret the field
17 notes, it shows a fine, rapid trout stream flanked by dense
18 riparian cover, creek alder, willows predominate with
19 partial stream canopy. Stream shows abundant white water,
20 short pools, extensive gravel, rubble, and some boulders.

21 I noted the time about midday, judging from
22 light and shadows on the stream surface.

23 Q What you just read was the caption on the exhibit?

24 A Was the caption on the exhibit, that's correct.

25 Q You made a reference to interpreting the field notes.
26 You said as near as you can tell in interpreting the field

1 notes, I just wanted to clarify what notes?

2 A From just what information was available in the Museum
3 of Vertebrate Zoology file, and it wasn't -- it wasn't very
4 extensive.

5 Q Is that description consistent with your evaluation of
6 the photo from examining it?

7 A Yes.

8 Q How are the conditions that are depicted in the photo
9 compared to the conditions as you recall them in 1939 and
10 1940?

11 A The riparian corridor was pretty much the same except
12 that there was a greater gap between the last of the
13 corridor and the level of the lake.

14 The stream was willow bordered from that
15 point down to the lake, and there was some braiding of the
16 stream, but generally compared well.

17 Q When you -- just one thing to clarify -- I think
18 probably because I'm not sufficiently familiar with the
19 terminology.

20 When you say the mouth of the creek you're
21 talking about near the lake; is that right?

22 A That's right, the actual mouth of the stream would be
23 at lake edge.

24 Q And this is somewhere that you were familiar with from
25 visits in 1939?

26 A That's right.

1 Q And 1940?

2 A That's right.

3 Q Is there anything else of significance or importance
4 in this photo that you want to identify?

5 A Well, the flow is certainly quite -- a lot of white
6 water, and it's pretty obvious looking at this photograph
7 and the original as I saw it in the files that the stream
8 is fairly deep, but I didn't venture to guesstimate the
9 flow, it's --

10 (Pause in proceedings for Mrs. Vestal to
11 change tape in recorder.)

12 THE WITNESS: I didn't venture to guesstimate the
13 flow because of the appearance of the photograph.

14 MR. WILSON: Let's mark the next exhibit, which
15 will be 27.

16 (Whereupon, a Copy of Notes Dated 23 June
17 1948 was then marked as Exhibit No. 27 for
identification.)

18 MR. WILSON: It's the 23 June 1948 field note, I
19 believe.

20 THE WITNESS: Number 27.

21 MR. WILSON: Number 27.

22 BY MR. WILSON:

23 Q Can you identify this for us?

24 A Yes, this is a field note sheet made on the 23rd of
25 June 1948 at Rush Creek test stream, Mono County. At 1:00
26 p.m. saw a Snowy Egret fly up from its fishing grounds 20

1 yards above the upstream barrier in the Gorge. At this
2 time there was only about 50 gallons per minute passing
3 over the barrier, possibly due to lack of irrigation in the
4 table and above this year.

5 And city, L.A., taking it, taking it all,
6 and "water" is in parentheses.

7 Q You mean the City of L.A. was taking all the water?

8 A Yes, by that time, January -- I mean the 23rd of June
9 1948, the inference was that the City was taking all the
10 water because there was only just a very small flow, and 50
11 gallons per minute could very well have been made up from
12 seepages and spring issues just above the Gorge.

13 Q Is this your writing?

14 A This is my writing. It's a note I have made.

15 Q Did you make it at the time?

16 A I made it at the time, yes, in the field.

17 Q When you make the reference to table land above, what
18 was that referring to?

19 A The table land actually above would be Pumice Valley.
20 This would be the flat land and the expanse of sagebrush,
21 rabbitbrush covered more level land.

22 It was actually part of the -- of that
23 continuation of terrace, Pleistocene terrace above Rush
24 Creek.

25 Q Can you identify that on the photo, that's been --

26 A Yes.

1 Q I know that wouldn't come out in the record, but I
2 want to see it for the record.

3 A Yes. This table land, I'm looking now at plate number
4 3 of Dr. Putnam's Quaternary of the June Lake District,
5 California, August 1949, plate 3, page 1290, and this area
6 that I'm talking about, this expanse of area would be --
7 it's cut down by the threads of Walker Creek and Parker
8 Creek and Walker Creek, but it comprises this area here,
9 this terrace (indicating).

10 Q So you are talking about the area irrigated by
11 diversions at Walker and Parker Creek?

12 A Yes, it certainly takes that in.

13 Q So, was it your observation then that water in Walker
14 -- water had been flowing in Walker and Parker Creeks then,
15 prior to 1948?

16 A That's right.

17 MR. WILSON: Let's mark the next exhibit 28,
18 which is another two field notes, I believe.

19 (Whereupon, a Copy of Field Notes Dated July
20 19, 1939, was then marked as Exhibit No. 28
for identification.)

21 BY MR. WILSON:

22 Q Can you identify this for us? Well, do you have a
23 copy of it?

24 A Yes, I have the original sheet before me.

25 Exhibit Number 28 is another note sheet,
26 field note sheet made at the time, dated July 19th, 1939,

1 locale at this was Rush Creek, and at 9:20 a.m.

2 I noticed that Rush Creek, the old road to
3 Grant Lake, crossed the creek and this -- yes, the old road
4 to Grant Lake crossed the creek.

5 There was an estimated flow of 1 CFS, the
6 temperature was 62 degrees Fahrenheit, the air 73 and a
7 half Fahrenheit, the average width of the stream was four
8 feet, and the average depth four inches.

9 Q Were these estimates that you made or measurements
10 that you took or some combination of the two?

11 A This was measured with, let's see, this -- it was
12 rough -- no, it wasn't actually measured with a steel tape
13 that one, this was an estimate of the width made by eye.
14 And it's generally, by experience and practice, it's
15 generally fairly easy to measure smaller flows by that
16 method, it certainly saved a lot of time, but rapid field
17 technique.

18 I note that most of the irrigation ditches
19 were dry above Rush Creek on Highway 395 toward June Lake
20 junction.

21 Sheep men were loading some sheep in trucks.
22 A photo was made at this point. I note photo one
23 twenty-fifth of a second at F16, 50 feet.

24 Q Is that photo the one that's been marked as an
25 exhibit?

26 A That's correct.

1 Q Let me get that so we can relate to it.

2 A That's correct. That's number, let's see, that's on
3 Exhibit Number 8, the bottom photograph. And the bottom --
4 that photograph -- in the caption of that photograph it
5 says July 19th, 1939, flow estimated five second feet.

6 And I would like to -- this was -- that flow
7 estimate should be corrected for the record to one second
8 foot by actual sight.

9 Q Was finding this field note what made you realize that
10 the caption on the photo was incorrect?

11 A Was incorrect, that's correct. And the reason -- and
12 the way I found that note was as explained, was that it was
13 made, I had made other notes on Basin wildlife in a series,
14 and apparently just continued on in that notebook instead
15 of shifting to a fisheries biology and survey notebook. I
16 just took an extra page and continued that note.

17 At 9:30 a.m., Rush Creek, 200 yards below
18 the outlet at Grant Lake the average width was 12 feet and
19 the average depth 15 inches. The temperature was 69
20 degrees Fahrenheit, and estimated 26 second feet. I had a
21 question mark after because it was an estimate and a larger
22 flow.

23 According to the L.A. employee from West
24 Portal, and concerned with Grant Lake Dam, work to start
25 next spring. Lake will have a capacity of 67,000 acre feet
26 or thereabouts.

1 On the reverse side, level on Grant Lake
2 seems to be holding its own, although still way down.
3 Stanley Carson tells me a person can pole across anywhere
4 from willows and narrows in the upper one-third of the
5 lake.

6 Mr. Carson was the concession operator there
7 near the narrows in Grant Lake.

8 Conway, and that means Richie Conway, who
9 was a rancher, sheep man in the Basin at this time ranging
10 about 2500 sheep. And parenthetically see Fisher, be Bill
11 Fisher, the district ranger at Lee Vining.

12 Ranging the sheep in the meadow at Grant
13 Delta near the inlet. And the reason for the parenthetic
14 note was I wanted to check with Mr. Fisher on that
15 particular segment of the sheep allotment. Within the
16 ranger district each shepherd had allotments, and Mr.
17 Conway and Mr. Mendaburi, Mr. Saldubehere, and one other
18 rancher that I remember.

19 Q Maybe you can spell that last one for the reporter.

20 A S-a-l-d-u-b-e-h-e-r-e, I think that was Antoine
21 Saldubehere, as I recall.

22 Q You make a reference to a photo?

23 A And the photo is looking toward the dam, shows the
24 grazing sheep, the photo of Grant Lake looking toward the
25 dam shows grazing sheep. And then I indicated the date, at
26 one twenty-fifth of a second at F16, 100 feet.

1 Q Is that one that we've marked as an exhibit as well?

2 A I believe that we do have that photograph marked as an
3 exhibit, Exhibit Number 7, the lower photograph, and the
4 date on that should be corrected from July 10 to July 19.
5 I am indicating July 19th, and I'm initialing that to show
6 that correction because that -- these photographs, there
7 was a series of these taken on July 19th as I progressed
8 along Rush Creek.

9 And I was able to -- partly to pin that down
10 by examining the right-hand side of that photograph with a
11 hand lens, and I notice the sheep in the photograph. And
12 so tying that in with the note, on July 19th I knew for
13 sure that those dates were correct, or that particular date
14 was correct.

15 Q Let's --

16 A Then on the left margin on the back side I note Write
17 Taft, that would be Mr. A. C. Taft, chief of the Bureau of
18 Fish Conservation on the fish way, on fish way at L.A.
19 Weir.

20 Part of the concern, as I testified earlier,
21 in the top photograph in Exhibit Number 7 showing the Los
22 Angeles-Venturi Weir looking upstream through it was to try
23 to work with the City of Los Angeles, Department of Water
24 and Power to modify the downstream approach to enable fish
25 passage.

26 Q Let's go back to Exhibit 8 for a minute and the lower

1 most photo, and which is the July 1939 photo.

2 A Get that. (Witness reviewing documents.) Yes.

3 Q You said flow was one CFS?

4 A Yes.

5 Q Do you know why it was so low?

6 A I believe that it was low because of -- there was
7 irrigation going on still that early in the season,
8 probably a diversion from irrigation, and there was --
9 could have been gate seepage or return flow that was
10 contributing to that amount of water.

11 Q Did you at the time form an impression of the
12 condition of the stream, of this particular portion of Rush
13 Creek, at the time you took the photo?

14 A At this section, within the section there of 200 yards
15 above and below the section, which incidentally is the same
16 section which is pictured in the color -- one of the color
17 slides.

18 Q The slides that we have marked as Exhibit 24?

19 A I was able to form an impression that this was one of
20 -- a very productive section of the stream showing the
21 exposure of the gravels, they're very fine gravels shown in
22 this taking a visual transect across this stream at this
23 point, and very fine gravels, and at normal flows this
24 would be quite productive.

25 Here in this view there are remnant
26 cottonwoods. I don't -- at this point I do not see many.

1 I see -- it appears to be some willows, but not many, and
2 some debris, some debris, debris in the stream and along
3 the borders of the stream, some heavy cobbles and boulders
4 that have been cast at higher flows.

5 Q When you say it could be a productive stream in normal
6 flows, you mean the conditions that are depicted in this
7 photo are sufficiently good that higher flows could support
8 a fishery?

9 A At higher flows.

10 Q That wasn't a very good question.

11 A Well, yes, at higher flows it could certainly support
12 a full-blown fishery with a full-blown normal flow regime.
13 The bottom streams, structure on the bottom type is such
14 that it would be quite productive.

15 Q Could it remain at this flow level for a long period
16 of time?

17 A And not be productive because the velocity patterns,
18 the velocity -- the velocities and velocity pattern
19 preferred and in normal habitats is simply not there.

20 Q Let me ask you one more question about this photo. Do
21 you recall at this time -- let me start over.

22 Do you recall what flows were at this time
23 in the lower reaches of Rush Creek? Was it one CFS all the
24 way down, or were there additional flows all the way down?

25 A There was additional flows further down, partly due to
26 perhaps return flow and to spring seepage and so on to the

1 point where you began to get real inflow from the springs
2 and the meadows that entered the test stream section below
3 the Gorge.

4 Q Do you have any more specific recollections of where
5 the return flows and where the springs were and some idea
6 of the magnitude?

7 A The return flows would come together. They -- it
8 appeared to me that they came together in more volume in
9 the -- certainly below the highway, the old 395, and within
10 the last quarter mile or half a mile or so of stream before
11 they came together in Rush Creek.

12 Q And can you describe more specifically what the return
13 flows are just so we all know what we are talking about,
14 what you're referring to?

15 A When I refer to return flows I'm referring to flows
16 that may be in part seepage from gate controls, from --
17 flows that follow from the very downstream edge or so, of
18 the irrigation fields, the irrigation area, and those
19 waters then have to go somewhere and they spill off by
20 gravity into the channels.

21 Q Which creeks do those waters come from?

22 A The creeks came there from Parker and Walker Creeks.

23 MR. WILSON: I think that's about all I have on
24 that exhibit.

25 MS. GOLDSMITH: Would you want me to ask
26 questions now or wait, which would you prefer?

1 MR. WILSON: Off the record a second.

2 (Discussion had off the record; thereafter, a
3 recess was taken.)

4 MR. WILSON: Back on the record.

5 BY MR. WILSON:

6 Q I cut you off in the middle of an answer on one --
7 with respect to the table lands and the return flows that
8 we were discussing in connection with the lower most photo
9 in Exhibit 8. You were saying that, I think you -- well,
10 you can go ahead and tell me what you told me I cut you off
11 on.

12 A Yes. This -- as regards this specific photo at this
13 particular time this was an unusually low flow I thought in
14 my estimation, and I indicated that in part it may have
15 been due to return water from irrigation above, and that
16 this return flow came from the table land and the terrace
17 land, Pumice Valley lands, which had been irrigated by Rush
18 Creek and Parker Creek and Walker Creek.

19 And then as progressed downstream there was
20 more pick-up, more return flow from seepage or otherwise,
21 still over from that irrigation and from ground seepage
22 which created an increasing increments in lower Parker
23 Creek and Walker Creek.

24 Q Was some of the irrigation -- well, let me see if I
25 have this correct. So you were saying there are three
26 elements of the irrigation diversion, one at Walker Creek,

1 one at Parker Creek, and a third was Rush Creek?

2 A The elements as far as irrigation go, certainly one
3 was from Rush Creek, one was from Parker Creek, and one
4 from Walker Creek, the return flow.

5 Q Were the Rush Creek diversions the ones which were
6 made that are known as the A and B ditches?

7 A I believe, as I recall. I'm not -- I wasn't too
8 conversant with the ditch system, but it was my impression
9 that the A and B ditches were fed by Rush Creek.

10 Q That's what I meant to say.

11 A Yes, over on this side (indicating).

12 Q Is it correct then that essentially what was
13 happening, and what's shown in the two pictures that you've
14 just been talking about, is that the water was, at least
15 with respect to Rush Creek, the water was diverted out of
16 Rush Creek somewhere below Grant Lake and eventually would
17 have it return to Rush Lake up or around the Meadows and
18 the Gorge?

19 A In one way or another much of it was returned by
20 absorption and ground seepage and through the springs.

21 Q Is that everything you wanted to say about that? It's
22 characterized adequately?

23 A I think that characterizes it. Certainly as far as
24 lower Rush Creek and Parker Creek and Walker Creek, what
25 remained there was nothing but the thread of the stream,
26 there was very reduced habitat in those lower reaches of

1 the streams, and just a fishery holding on by its gill
2 covers.

3 MR. WILSON: Okay. We'll come back to that in a
4 minute. I think at this point Ms. Goldsmith has some
5 questions.

6 I guess I should say for the record that
7 since this is a new exhibit, instead of my going through
8 all my questions and then Ms. Goldsmith starting over
9 again, we thought it would make more sense for us to
10 alternate questions with the exhibits, and Mr. Vestal
11 thought that was acceptable.

12 FURTHER EXAMINATION

13 BY MS. GOLDSMITH:

14 Q I have some questions about Exhibit 28, and I guess I
15 still don't quite understand how this hydrology works.

16 A Exhibit 28.

17 Q It's these fields notes --

18 A I see.

19 Q -- that we were just talking about.

20 A I see.

21 Q Now, am I correct in understanding that both of the
22 observations that are recorded concern reaches of lower
23 Rush Creek that are above Highway 395?

24 A Yes, on that particular -- on that particular exhibit
25 that is the reach above Highway 395, yes.

26 Q And it's my understanding of the geography of the area

1 that both Parker and Walker join Rush Creek below 395; is
2 that correct?

3 A At that particular time, yes, and clearly shown in the
4 total mosaic of Dr. Putnam.

5 Q So, if there was an -- the field notes seem to
6 indicate an increase in the flow of Rush Creek as you go
7 down lower Rush Creek, still above the highway.

8 A No. No, it would be -- let's see. Oh, oh, I'm
9 working up here, I'm working upstream.

10 Q Oh.

11 A From that station, my next station was 200 yards below
12 the outlet of Grant Lake.

13 Q So the first entry is lower, on lower Rush Creek?

14 A That's right.

15 Q Then the upper?

16 A Yes.

17 Q That certainly clarifies.

18 So the diversion looks as though it probably
19 was made someplace in between those two points of
20 observation.

21 A That's correct, yes.

22 Q Okay.

23 A As far as Rush Creek was concerned.

24 Q I'm also curious about the temperature that's recorded
25 in the second entry, it's a temperature of 69 degrees, and
26 that's at a larger flow than the first entry which is 62

1 degrees at one CFS, is this unusual?

2 A Well, evidently the outlet -- the temperature there
3 near Grant Lake is influenced by the temperature of the
4 water from Grant Lake. We're getting a warm -- we're
5 getting an increase of temperature out of water that's
6 appearing out of Grant Lake, so it would be several
7 degrees, there's seven degrees difference there between the
8 upper temperature and the lower temperature.

9 And let's see, the air temperature, the
10 general air temperature taken at 9:20 was 73 and a half,
11 which may be really not high enough to cause -- to effect
12 that much of a change, but I suspect that upper temperature
13 was influenced by releases out of Grant Lake.

14 One would think at a higher reservoir, this
15 1939 Grant Lake hadn't been enlarged yet, so the water was
16 coming off pretty close to the top at that time.

17 Let's see, July, yeah, it was coming closer
18 to the top, and then as it went on downstream and went
19 through the riparian protected and shaded portions of the
20 channel, it was -- it went through a coolant, certain
21 amount of cooling process, shade and shelter, and what fish
22 were there were getting the advantage of this by inference.

23 But I strongly suspect that that was drying,
24 that flow was -- was affected out of surface water.

25 Q The other question I have about this note, and the
26 discussion that we've just had about the irrigation, is

1 that your note and your field notes indicates that most of
2 the irrigation ditches were dry above Rush Creek on 395,
3 and it's difficult for me to understand what the reduction
4 would be unless you incorrectly noted that the irrigation
5 ditches were dry. Or I don't know, there was a tremendous
6 loss or something?

7 A Well, the road, the highway certainly crossed the
8 ditches at several points, which these were observed dry
9 and the -- at this time of the year evidently since they
10 were -- since they are loading sheep out the irrigation
11 season long passed through irrigating, and a lot of that
12 had just gone into the, well, I want to call it again
13 Pumice Valley, had just been sucked up by the pumiceous
14 dust and particles and the surface pumice and so on, and
15 then on down to seep into -- deeper and deeper into the
16 ground structure.

17 Q So, the irrigation gates would have been open and then
18 this would have been lost to percolation, is that --

19 A I don't know what condition the -- what -- just how
20 they managed the irrigation gates. They would open the
21 irrigation gates of course during the issue part of the
22 irrigation season to get the water out there, but then
23 whether they would close them or not, I don't know just how
24 they -- just whether the gates were here. I would think
25 that they would have, would have some of the gates perhaps
26 were closed in order to create this condition, but I just

1 don't know how those gates were managed.

2 Q Is it likely that the stream would lose 25 CFS between
3 these two observation points?

4 A Not without the gates, some irrigation water going out
5 somewhere in order to divert that flow. That's quite a
6 difference there, that's a big difference.

7 Q How close to the irrigation gates did you observe the
8 irrigation being dry?

9 A Crossing -- where the irrigation district crossed the
10 road, it would be some distance.

11 Q Okay.

12 A Be quite some distance, yes.

13 MS. GOLDSMITH: Those are all the questions I
14 have on that, thanks.

15 FURTHER EXAMINATION

16 BY MR. WILSON:

17 Q You made a reference to the flows being abnormally
18 low.

19 A Yes.

20 Q What did you mean by that? Compared to prior years?

21 A Certainly prior years, certainly a low in comparison
22 with flows that Rush Creek, as I first saw it in 1938. And
23 it was lower, it was lower when I saw it in the field with,
24 let's see, twice in the field with Dr. Putnam. It was
25 higher, it was -- the flow more than one second foot.

26 Q I think I have a few more questions about those

1 ditches, but we'll leave them for a different context.

2 Can I just verify, does the note say most of
3 the irrigation ditches?

4 A Note, most of irrigation dry above Rush Creek on 395
5 toward June Lake junction.

6 Q When you say most of the irrigation ditches, were
7 there other ditches, other irrigation ditches?

8 A There were other irrigation ditches and --

9 (Interruption in proceedings by unknown person
10 entering room.)

11 THE WITNESS: I don't recall at that point
12 whether active or not. I infer from that note that there
13 were part of the -- it may have been a declining situation
14 as far as the use of the irrigation ditches and some that
15 were -- could have been an intermittent flow, some water
16 standing or residual water would cause me to note that some
17 were dry.

18 The inference there is that because they
19 were loading the sheep there was no point in their
20 continuing a diversion, and I guess for -- from their
21 standpoint wasting water, wasting good irrigation water.

22 MR. WILSON: Moving on from there, we're moving
23 on down Rush Creek, I'd like to have the next exhibit
24 marked, which will be 29.

25 //

26

//

1 (Whereupon, a Document Entitled Pacific
2 Flyway Waterfowl Investigations Population
3 Data was then marked as Exhibit No. 29 for
4 identification.)

4 MR. WILSON: It's the Pacific Waterfowl --

5 THE WITNESS: Number 29.

6 MR. WILSON: Yes.

7 BY MR. WILSON:

8 Q This is a document that you found in your files
9 between the last deposition and this session; is that
10 correct?

11 A Yes.

12 Q Can you tell us -- first of all, identify it for us?

13 A This is -- these -- Exhibit Number 29 consists of a
14 series of reports by Mr. Walter Dombrowski in connection
15 with the Pacific Flyway Waterfowl Investigations,
16 Population Data, which apparently he submitted on an annual
17 basis. But because of my interest he just gave me copies
18 of his 1948 reports: the first report of September 28th,
19 1948; the second report of September 27th, 1948; the third
20 October 4th, 1948; the fourth October 11th, 1948; and the
21 last November 1st, 1948.

22 And he indicated on these the number of
23 species observed. There were lists of the -- on the facing
24 page of each report he was to indicate the date, the time,
25 the locality specified as the Rush Creek Delta, Mono Lake,
26 Mono County California, which he typed in here, and then

1 there was the estimated total number of waterfowl by
2 species that he observed.

3 Then at the bottom there would be his
4 remarks summarizing the counts and any other remarks that
5 he made in connection with observations.

6 And on September 20th --

7 Q Let's stop there for a minute. I don't want to get
8 too far ahead.

9 How do you know that this was the way the
10 reports were prepared? How do you come to obtain your
11 knowledge of this?

12 A Partly because he told me, he showed me how. And as I
13 mentioned because of my interest in birds and waterfowl he
14 indicated how they were prepared and his connection and as
15 a contribution to the waterfowl investigations.

16 Q So you were familiar with this work that he was doing
17 and you discussed with him the way these were compiled?

18 A Yeah, to indicate just how they were, what the records
19 consisted of, what the significance of these records were.

20 I did not know at the time that he went into
21 a pooling of records which were later worked up and then
22 resubmitted in terms of annual report to several states by
23 the Fish and Wildlife Service.

24 Q Did he prepare these on or about the dates --

25 A Yes.

26 Q -- that -- the dates indicated?

1 Okay. I wanted to get that in before I
2 forgot, I'm sorry I interrupted you there.

3 Were you with him on any of the dates that
4 these observations were made, that are indicated in these
5 reports?

6 A No, I wasn't with him when he made these observations.
7 He made lay counts, he had his system, and I did not -- I
8 was not aware at that particular time of the map. However,
9 he did show me in the field the ponds, the location of the
10 duck ponds in the Delta and the approximate diversion
11 points from Rush Creek into these ponds, and explained how
12 the ponds were managed in the fall for the assemblage of
13 ducks, waterfowl.

14 Q Now, the map you're making reference to --

15 A Yes, it would be page 6, and in reference to Mono
16 Lake. And the relative importance of the Rush Creek Delta
17 area to the rest of the waterfowl areas on Mono Lake would
18 be shown on page 7.

19 Q I think that a page got skipped here in the numbering.
20 Oh, never mind, I think what happened here -- let me ask
21 you, there is a page between the page number five and the
22 page that's number six, is that just the back?

23 A It's the duplicate of the back of the page, that's
24 correct.

25 Q Okay. So this is a full set?

26 A It's a full set, and what I did was just simply

1 duplicate the back of that page, and there's one other page
2 similarly done. Page 4, the back of that one is duplicated
3 also.

4 Q Now looking at -- I'm sorry.

5 A What I was -- what I had begun to say, that on the
6 September 20th report Mr. Dombrowski indicated eight
7 species, and he made a general estimate of the numbers of
8 waterfowl actually settling into the ponds in the Delta of
9 a hundred and seventy-five thousand to 200,000 birds.

10 On September 27th, 1948, there were still
11 eight species predominating in the observations, and
12 approximately the same numbers.

13 On October 4th, 1948, there were still eight
14 species, and his estimate was just simply a hundred and
15 seventy-five thousand.

16 On October 11th, 1948, there were nine
17 species, addition of one more, and his estimate ranged
18 upwards, 300,000 to 400,000 birds and there's about 60,000
19 in one -- in one pond.

20 And then on November 1st, 1948, there were
21 12 species, and he remarked that there were over a million
22 ducks on Mono Lake. Eighty percent of those were Ruddies,
23 and Shoveller, S-h-o-v-e-l-l-e-r.

24 Q Did you ever have an opportunity to make personal
25 observations that were -- that would verify or correct
26 these counts?

1 A I was at lower Rush Creek when there were -- I never
2 actually made counts, but I was in lower -- at lower Rush
3 Creek in this vicinity when in flight the sky -- not
4 darken, but there were just birds, seemed like ducks flying
5 every which way upon being disturbed, and they would rise
6 up, and leave large numbers of ducks still sitting on the
7 ponds.

8 Q Was that an experience you had more than once?

9 A Yes, during the fall period, yes. And of course
10 around other parts of Mono Lake over on the -- around the
11 -- above Lee Vining and Danberg Ranch and other places
12 during that period you'd see large numbers of waterfowl.

13 But the waterfowl characteristically
14 gathered around the fresh water entries to Mono Lake. And
15 of course one principal reason why they gathered here was
16 because they had fresh water to rinse their feathers, and
17 assemble in large flocks.

18 Q Based on your experience as a biologist out there do
19 you have any estimates, or did you make an estimate of the
20 numbers?

21 A No, I did not make estimates. No, I let Walt do that.

22 Q Okay. Let's take a look in a little more detail at
23 the map that's on the page that's marked number six.

24 You said this depicts conditions that you
25 saw personally; is that right?

26 A Yes. I recall the -- I recall the ponds, and I recall

1 the main system, the ditch systems, and Mr. Dombrowski
2 explaining how it was maintained.

3 And the first map shown on page 6 of Exhibit
4 29 is approximately -- it's -- I would say it was pretty
5 well drawn, and when I examined the aerial photos later,
6 although the level of the lake had changed, these were
7 positioned about right.

8 I think he evidently had submitted this as
9 an appendage or attachment to his report series, and he
10 made it quite accurate.

11 Q So you saw these four ponds that are depicted on this
12 map?

13 A Yes.

14 Q The one that is two and a half acres and one about ten
15 acres?

16 A Yes. I saw the -- I saw the large pond on the east of
17 the -- east side of the Delta, and I saw the -- there are
18 two ponds, one large and one smaller, that was connected to
19 it before it reentered Mono Lake.

20 And then there were two smaller ponds over
21 on the west side of the Delta. The large pond on the east
22 side was by his estimate or measurement 22 acres, and the
23 smallest pond in the series of four was two and a half
24 acres over on the west side, and all of these were fresh
25 water.

26 Q Do you know how the ponds were created?

1 A I'm not sure just how. I know the ponds were created
2 in part by diking and ditching, and whether there were --
3 whether there were -- these were partly out of meanders
4 within the -- within a braided delta in that marsh area
5 seemed probable to me and he improved them by the diking
6 and the ditching.

7 Q He being Dombrowski?

8 A Dombrowski, yes. Most of this was -- work was done by
9 Walt.

10 Q Why did he do it?

11 A Well, it was actually in connection with the --
12 examined the recreational map and Rush Creek Ranch and the
13 popularity of this area in the fall for duck hunting, and
14 there were many waterfowl or bird shooters attracted
15 because of the location of these ponds and the ducks that
16 were attracted in there the --

17 (Pause in proceedings for Mrs. Vestal to change
18 tape in recorder.)

19 THE WITNESS: There were literally dozens of
20 gunners attracted by the advertisement, the map, or maps I
21 should say, by the Haden Map Company and by Walt, in
22 correspondence with Walt Dombrowski, and by word of mouth.

23 BY MR. WILSON:

24 Q Did you see these dozens of gunners you mean or --

25 A No, I never was there on a good gunning day in the
26 fall when there were -- there were only a limited number of

1 blinds.

2 There was another feature of the duck area,
3 duck hunting area, were the blinds that Mr. Dombrowski
4 maintained, and just a limited number of blinds. And as I
5 recall two or three gunners at a time could occupy one of
6 these blinds. There were blinds of different sizes, there
7 were two person blinds, several of these, rather skimpily
8 made, but still satisfactory blinds, and then several
9 larger blinds which would accommodate perhaps up to four
10 gunners shooting on either side of the blind.

11 Q It appears from the map that the source of the fresh
12 water in those ponds was Rush Creek; is that right?

13 A That's correct. And an active flow. You'll notice
14 the center of the diversion was a dam. And this enabled --
15 I don't know what kind of a dam, whether this -- this was a
16 sack, combination of sack, I don't quite recall what the
17 nature of that beaver work was, so to speak, but sufficient
18 to get a flow out through -- a gravity flow out through
19 these blinds.

20 Q Let's take a look at the bottom part of the map, there
21 is a road, it says "road," can you identify what that road
22 is?

23 A That road is the county road from left to right, it's
24 the county road coming in from Highway 395, a dirt road,
25 gravel, or dirt, to the bridge and crossed Rush Creek there
26 below. In the words it says "weather station." It was my

1 recollection that this was near proximity or close
2 proximity to the Clover -- the J. B. Clover residence.

3 Then the road crossed via a bridge and went
4 on, continued on down the edge of the lake for a ways. I'm
5 not sure if that road went for very far beyond the edge of
6 the Grant Lake or Mono Lake.

7 Q Where I think you said fish checking station --

8 A The fish checking station would have been
9 approximately in the location where it says weather
10 station.

11 Q Was the fish checking station the station that you
12 used in the Rush Creek testing studies?

13 A Yes. At first it was just a small building and then
14 the Division of Fish and Game moved a trailer in there, and
15 actually a combination of that little building and the
16 trailer at one point.

17 Q Can you identify approximately on this map where the
18 hunters' and fishers' trailers that you were talking about
19 earlier were located?

20 A Well, aside from the hunting out in the Delta area,
21 the -- they would stay above the road and chiefly on the
22 west side of the Rush Creek meander that you see above the
23 road, upstream from the bridge, on the area of Rush Creek
24 Ranch.

25 And like I mentioned earlier, I wasn't sure
26 of the confines of Rush Creek Ranch, the entire confines.

1 Q Are there other features that are identified on this
2 map that you want to point out before we move on?

3 A Except that the map is drawn in a scale of one inch
4 equals 500 feet, and that the dotted line that he drew
5 there is completely around the ponded area, and he mentions
6 as per his label the area within the dotted line is covered
7 by the general estimate for waterfowl.

8 Which I think is very -- that includes all
9 of the ponds, and he especially wanted to get in that big
10 pond because that -- and he notes the label map of Rush
11 Creek Delta area, and the fact that the general direction
12 here of the flow of Rush Creek into the lake is northerly.

13 Q Were the ponds still there when you left the area,
14 when you left the Basin? Or did they still have water in
15 there I guess is the more precise question?

16 A They were not maintained after -- not too well after
17 Mr. Dombrowski became supervisor and he -- his time was
18 taken elsewhere. And certainly not after, you know, he
19 became ill and passed away. He died before the work at the
20 Rush Creek test stream initial phases were completed.

21 Q Do you know when he became a supervisor? I ask not
22 because that's particularly important, I'm trying to just
23 identify the dates.

24 A It was right after Mrs. McPherson was supervisor,
25 let's see if I have a possibility of a date on that.

26 Q Or if you can just tell me in relation --

1 A 19 -- let's see -- (Witness reviewing documents.)

2 Q What I'm really interested in is how long did the
3 ponds survive after the onset of the diversions.

4 A That's really why I was trying to gather -- they
5 gradually faded out. They gradually -- it became more and
6 more difficult for Walt to get water out into the Delta,
7 and the big delta that was there originally, and I think
8 was shown in one of my exhibits, just wasn't there.

9 That, let's see, that photograph shows
10 rather clearly a flow of a hundred and seventy-seven second
11 feet.

12 Q But was there enough water to maintain the ponds?

13 A No, couldn't get the water area out there, marsh area
14 gradually dried up, or was drying up anyway.

15 Q When you say the marsh area, could you explain that a
16 little more?

17 A The marsh area comprised a fan, a fan right from just
18 below -- just below the county road where the stream -- the
19 main thread of the stream continued on to Mono Lake. But
20 there was some braiding there.

21 And, incidentally, in the earlier years this
22 braiding -- some braiding made it easier for Walt to
23 maintain the duck area. But the marsh consisted of a fan
24 right there about the outlet, about the mouth, I should
25 say.

26 Q Is this the area that's depicted in Exhibit 9 in the

1 middle picture?

2 A Let's see. (Witness reviewing documents.) Yes. Yes,
3 and also on the lower picture, part of that. Actually, in
4 the lower picture you can see one of the duck blinds out
5 toward the lake.

6 Q I can't quite make it out in the photocopy.

7 A Oh, it's --

8 Q In the lower picture?

9 A On the lower picture there is a -- and if I may, it's
10 actually shown in this photograph (indicating), quite --

11 Q I see.

12 A -- quite clearly. It shows one of the blinds out in
13 that area (indicating), and this was all marsh, developed
14 as marsh area for purposes of waterfowl.

15 Q As long as we're discussing this area, can you give us
16 a brief description of what Rush Creek was like before the
17 diversions from the county road to the lake?

18 A Well, Rush Creek, there was coming down from the
19 Gorge there were -- the stream at what I refer to as the
20 normal --

21 Q I'm sorry to interrupt, why don't we just the county
22 road to the lake.

23 A From the county road to the lake. Downstream below
24 the county road for a ways there were extensive willows and
25 cottonwoods along the, along the stream, willows on both
26 sides, and what I call marsh grasses right along the

1 banks, stream, right up to the edge of the stream itself.

2 And then down just below, let's see, I can't
3 -- I'm trying to mind's eye figure the actual distance or
4 tenths of miles distance, but it certainly would go down
5 perhaps a quarter of a mile below the county road. And
6 heavy, rather heavy cover on the west side, much heavier,
7 as I recall than on the east side.

8 But then it meandered down, and then looking
9 up to the middle photograph which we have at higher flow,
10 the stream then spread out through the Delta and you did
11 get some of these, some of this braiding.

12 Q Are you talking about the area where the dam is on
13 this exhibit we're looking at?

14 A I don't see the actual location.

15 Q I'm trying to tie in your description of where the
16 braids began in the Delta to the map that we're looking at,
17 Walt Dombrowski's duck ponds, and where the dam is located
18 on there, I was wondering if that was the area you were
19 talking about?

20 A Yes, I was talking about the low rim of the cover, the
21 riparian cover on either bank of the stream, and I
22 mentioned the fact that most of that cover was on the west
23 side of the stream, and then it gradually lessened and
24 virtually disappeared after about a quarter of a mile below
25 the county road.

26 And then the stream still meandering through

1 this delta area entered this grassy marsh area. There was
2 -- I don't recall, I don't think I ever knew the number of
3 marsh plants or the type of marsh plants in there, but Mr.
4 Dombrowski had said that there were several species that
5 were quite typical of east side waterfowl marshes, which
6 apparently had been started up there at some time in
7 earlier years.

8 Then the stream went down, and perhaps it
9 could have been half way down or where he had his dam, I
10 don't recall the location, it does not show in the middle
11 photograph because it's at a higher flow and that would be
12 inundated.

13 Q The dam?

14 A The site of the dam for his diversions.

15 And then as you went down the stream got --
16 this was increased delta, fresh water, of course. And as
17 it approached the lakes of course it was a fan. There was
18 actually a fresh water fan went out into Mono Lake at the
19 very end of it. And all of this was wetted, area was
20 pretty well wetted area, and remained quite green through
21 most of the season, didn't turn brown or turn color until
22 later in the year.

23 Q How extensive was the fish activity on the area of the
24 creek below the county road?

25 A In this area?

26 Q Yes.

1 A Well, I am not sure how extensive it was. There must
2 have been considerable, however, because of the ranging of
3 large trout down through that area.

4 I pointed out earlier about the site, we
5 weren't sure of whether these were specifically brown
6 trout, but they were certainly large trout and they could
7 have been browns, ranging down in this area in their
8 feeding habits.

9 A lot more water down in there in those
10 earlier years, and could have been a very important sizing
11 area, so to speak, for large trout.

12 Q Did the presence of the duck hunters make it a less
13 desirable area to fish in?

14 A I don't think the presence of the duck hunters
15 affected the fishing. I think that they -- the emphasis at
16 that time was on duck hunting. However, there was some --
17 there was some fishing going on at that time, up to the
18 time the season closed.

19 Q The next map in this report is on page 7, this is
20 marked as page 7?

21 A Yes.

22 Q What was this map?

23 A This map is a map of Mono Lake showing the relative or
24 approximate percentages of waterfowl distribution around
25 the shore of the lake. "This distribution is naturally
26 reflected by shooting during the open season," and I'm

1 quoting from Mr. Dombrowski's own label on this plate, page
2 number 7 of this Exhibit Number 29.

3 Q Did he draw this map?

4 A Yes, he prepared the map, and he prepared the --
5 marked it various areas going counterclockwise around from
6 the Delta. He indicated the location of the fresh water
7 ponds, and with a dash line shows the Rush Creek Delta
8 area, and indicated 45 percent of the concentration of
9 waterfowl around the Rush Creek Delta. They certainly had
10 to be a large percent from his observations.

11 Then the next eastward, again
12 counterclockwise, would be indicated five percent, there
13 was another area called the Tufa Rock area.

14 Then the next area was the Samann Springs
15 area, S-a-m-a-n-n, 15 percent. And he's marked it out,
16 there was rather wide area, quite a good-sized area, and it
17 could have been equivalent or a little bit more than the
18 Rush Creek area. Then the next area counterclockwise he's
19 marked the Springs as -- in the Springs area, 15 percent.

20 And the Warm Springs area, he's got a
21 similar dashed line at five percent.

22 And proceeding on around counterclockwise to
23 the Dechambeau Ranch area, that's spelled, capital
24 D-e-c-h-a-m-b-e-a-u, Dechambeau Ranch area, 15 percent, and
25 that he's got a dotted line mark, that area which would be
26 off around Negit Island, N-e-g-i-t, Island in Mono Lake,

1 Blake Island.

2 And then coming on around the Monte Vista
3 Springs area, and he's got a similar dotted line there at
4 five percent.

5 And then the last area, right around the
6 mouth of Rush Creek, the fresh water fan around the mouth
7 of Lee Vining Creek, Lee Vining Creek delta area, ten
8 percent.

9 He's got an extension of the Lee Vining area
10 along the shore of Mono Lake toward Rush Creek, and I
11 interpret that as being that area where there were some
12 fresh water springs or seepages out of the -- along the
13 shoreline and into Mono Lake which attracted the waterfowl.

14 Q Was this report, this map, excuse me, prepared at the
15 same time as the rest of the report?

16 A Apparently, yes, submitted along with these, with the
17 -- at least submitted along with this collection of reports
18 for the year 1938, or '48, rather, I'm sorry.

19 Q And how did you come into possession of those?

20 A Because of my interest in waterfowl and --

21 Q He just gave you a copy?

22 A Yes, and I was interested in what he was doing. He
23 explained his association with the Pacific Waterfowl
24 Investigations, and what he was doing about them.

25 MR. WILSON: That's all I have on this exhibit.

26 MS. GOLDSMITH: Okay. I have some questions.

FURTHER EXAMINATION

1
2 BY MS. GOLDSMITH:

3 Q Did you ever make any attempts to identify species at
4 Dombrowski's place, species of ducks?

5 A Waterfowl?

6 Q M-hm.

7 A Yes, yes, I could readily identify on the leading
8 page, page 1, for example, the Mallards, Gadwall, the
9 Baldpate, the Pintails, the Green-winged Teal, the lesser
10 numbers of Blue-winged Teal, and Shovellers were probably
11 the most numerous. The Shovellers, other common name for
12 them was Spoonbills or Spoonies. I think all of them knew
13 them locally in the vernacular as Spoonies.

14 Q Do you have any idea how he arrived at his estimates
15 of numbers?

16 A Well, I think at this point all waterfowl men -- I
17 have flown with waterfowl men over along the coast and in
18 the valley out of our -- my former region 3, out of
19 Yountville, and what they did --

20 Q Mr. Vestal, the question is whether you know how he
21 did it.

22 A Well, what I'm attempting to say is that I think he
23 used a technique similar to theirs, which they call
24 checkerboarding. They would see one area of a pond and
25 they would quickly make an actual eye count or estimate on
26 that, and then multiply by times eight or times five or so

1 on to make an estimate.

2 This is how a lot of the large counts of
3 waterfowl up and down the Pacific Flyway were made, by
4 checkerboarding, and that's undoubtedly the way Walt made
5 his counts, some of his counts.

6 The lesser counts were just bird by bird,
7 but I think most of the time he did it by checkerboarding.

8 Q And you think that -- why do you think that?

9 A Well, because it was easier, especially a large
10 number, you're dealing with 200,000 waterfowl. It is just
11 is physically impossible to make. They're maneuvering,
12 moving around and so on.

13 If they're spread out on a pond, if they're
14 spread out like on this large pond, if he can get into a
15 situation where he can take his glasses and cut off a
16 section that he knows the area of the pond quite well, cut
17 off a section of that and make a quick approximation or
18 actual count of a portion and multiply with the rest of the
19 pond he's got it made.

20 Q Did he ever tell you that was how he did it?

21 A Yes, yes. I never did actually see him make the
22 count, but that's --

23 Q But he told you he made them that way?

24 A That's right, yes.

25 Q On the map of the pond areas do you know when those
26 ponds came into being? Were they there when you were there

1 before the war?

2 A They were there when I first came on the scene, and he
3 pointed out that, well, I guess it was an adjunct of Rush
4 Creek Ranch almost from the start because that's how the
5 ranch became established in duck hunting and the fishing.

6 Q Okay.

7 A So, it was hand and glove.

8 Q Do you know how deep the ponds were?

9 A Quite shallow. The typical duck pond, let's see, I
10 was able to wade out in a portion over on the west side in
11 the pond marked ten acres I believe, able to wade out on a
12 portion of that, and it was quite shallow. I had boots on,
13 of course, but it was quite shallow. Not over, oh, at the
14 greatest depth not over -- perhaps just above the knees'
15 length. Just up to about gun boots, just above the knees,
16 I didn't wade out into the center of it, because -- the
17 ponds were typically relatively shallow.

18 Q I guess I'd like you to make another attempt at
19 identifying when Mr. Dombrowski became a supervisor.

20 A Let's see, locate that, that date. (Witness reviewing
21 documents.)

22 MR. WILSON: Is this something you expect to
23 find?

24 THE WITNESS: I could pin it down more closely if
25 I had an opportunity to look at the logs because there was
26 an expression there, early on it was when the test stream

1 began.

2 Let's see, yes, now here. I have an entry
3 October 6th, 1940, returned from supervisor Walter
4 Dombrowski. I was mistaken about the order of political
5 position here. It was supervisor Walter Dombrowski first
6 before Mrs. McPherson, she followed Walter in succession.

7 I think Bill Banta at one point was a
8 supervisor also, but I'm not sure of that date.

9 But this time October, in that period,
10 Walter was supervisor.

11 BY MS. GOLDSMITH:

12 Q So the duck ponds would still be in operation at that
13 point?

14 A They would still be in operation.

15 Q And they -- were they still operational when you began
16 your creel study in 1947?

17 A In -- the duck ponds were still there in 1946 when we
18 first reconnoitered, Mr. Taft, or Mr. Curtis and I we
19 reconnoitered the testing area. And, of course, they were
20 still going in this period here, 1948, period of these
21 records.

22 Q After that your personal observation was that they
23 fell into disuse?

24 A They fell into -- I don't -- what I was trying to
25 recall was whether this was in connection with any illness
26 that Walt had, but he was employed by -- right up to his

1 death. He was employed as a checker by the Department of
2 Fish and Game, devoting most of his time to that work.

3 Q What is a checker?

4 A He was recording the anglers in and out, the cars
5 and --

6 Q I see.

7 A -- and fishermen in and out of the test stream, and
8 this took quite a bit of time. And we required reports
9 from him, he was working up -- he was quite meticulous
10 about working up the daily weather reports and air and
11 temperature, and air condition, weather conditions.

12 Q Turning to the map of Mono Lake, the last page of the
13 report, do you know how he derived these estimates?

14 A I'm not sure. I'm really not sure. He -- whether he
15 enlisted the help of others in getting some count or
16 whether he made a circuit of the lake in part, I'm just not
17 sure.

18 Q So there really is no information as to the basis for
19 these estimates?

20 A I would think that the basis of the estimation, would
21 be possible to follow that in the annual reports, the area
22 Flyway reports to the Fish and Wildlife Service and back
23 from the Fish and Wildlife Service or state compendium,
24 these have been kept for a long time.

25 Q Where would the state compendium be?

26 A Would be with the Department of Fish and Game, and a

1 man named Dan Conley in Sacramento. You could certainly
2 track it through Dan Conley. And I cannot -- I'm sorry I
3 cannot be of help in the Sacramento office of the Fish and
4 Wildlife Service, but they're controlling as far as these
5 enumerations go.

6 MS. GOLDSMITH: That's all the questions I have.

7 THE WITNESS: They were -- just to add an added
8 comment, they were, I think, controlling as far as the
9 methods and techniques so that they could coordinate the
10 whole operation over the Pacific, all of the U.S., Northern
11 American Flyways, all speaking the same language so to
12 speak.

13 MS. GOLDSMITH: Okay.

14 MR. WILSON: One minor question regarding number
15 4.

16 (Pause in proceedings for reporter to change
17 paper.)

18 FURTHER EXAMINATION

19 BY MR. WILSON:

20 Q When we ran out of tape, saying I had one more very
21 minor point, which I do, I was just noticing on the -- or
22 actually I had it pointed out to me on Exhibit 23 which is
23 the Haden map.

24 A Yes, sir.

25 Q Where it refers to Dombrowski in one of the little ads
26 in its bottom.

1 A Yes, Walter Dombrowski, proprietor.

2 Q And I notice that Dombrowski in that little box is
3 spelled with a Y at the end.

4 A That is misspelled.

5 Q But it's the same person?

6 A Same person, that's right.

7 Q I thought Dombrowski wouldn't be all that common a
8 name.

9 A I knew him too well.

10 Q Just to be sure.

11 A I knew Walter too well.

12 Q Let's move on up Rush Creek a little bit more, again I
13 think you were starting to get into this and I rudely cut
14 you off, but I'd like to discuss in a little more detail
15 the portion of Rush Creek below the Gorge and the county
16 road, if that's a --

17 A Yes, approximately 3.2 miles.

18 Q Is that a distinct section that's meaningful to
19 discuss?

20 A Yes, I think so because of the geologic area that the
21 -- the geologic boundary you might say, and the road.

22 From the -- in the direction of flow from
23 the Gorge, just below the Gorge the stream was bordered by
24 -- right within the Gorge it was bordered by tall
25 cottonwoods, and both above and below Jeffrey pines and
26 willows, rather old and tall willows.

1 And the channel contained at that point,
2 because of the slightly greater gradient just below the
3 Gorge, was some glacial boulders in there. I don't know
4 how they got in there, but they certainly were indicated as
5 glacial boulders, and then large fragments of the rocky
6 defile itself, large cracked off rhyolitic material was
7 what that is.

8 Then the stream meandered down, it went on
9 down through the floodplain. And actually it was -- wasn't
10 on a meandering course, it was a sinuous stream for the
11 most part on down for the length of the 3.2 miles.

12 The stream was bordered more than half of
13 its length by dense willows, this was actually described as
14 a jungle. Anglers reported as a jungle and so did our men
15 report it as a jungle trying to get through the dense
16 willows. Most of these had been high lined by sheep for a
17 long time, but even then it was difficult to get through
18 them.

19 Then they broke out, there were places there
20 where anglers could have access to the meanders of the
21 stream. And most of these areas where there were open
22 places between the riparian cover were grassy meadow areas.
23 This was in this upper portion of about a mile, is where
24 the springs area issued. Springs area and issues came in
25 from the west and southwest side and went -- came through a
26 grassy meadow.

1 It was a very grazeable meadow, but at the
2 same time in the early years it was swampy and there were
3 watercress beds in there. The issues came out and
4 meandered down through this, and it was marshy and wet and
5 swampy and considerable watercress. I likened it in many
6 ways to the watercress beds in Hot Creek when it was
7 renowned from days of Paul Needham, before that you had a
8 high food production. These areas were just fabulous as
9 far as food producers.

10 Q Was food production the main significance of the
11 watercress bends?

12 A What's that?

13 Q Was food production the main significance of the
14 watercress bed?

15 A I think the watercress beds contributed, they
16 contributed to maintenance of temperatures as far as the
17 Brooks are concerned.

18 I mentioned earlier the fact that I think
19 that this was a stronghold for the Brooks, could move up in
20 some of the little streams, meander out of that spring area
21 through the meadow. And while they may have spawned in the
22 main stream and in partial tributaries to those areas, they
23 would seek the colder inflows which almost certain were
24 coming out of those spring issues.

25 Then the stream meandered on, continued on
26 down, and the situation as far as willows and cottonwoods

1 continued on down. There were cottonwoods at various ages
2 and sizes with open areas intermittently and right on down
3 to the vicinity of the upper bridge. There was an open
4 area there.

5 One reason why we selected that for a
6 crossover is because there was an open area and
7 comparatively easy area to get across with logs and
8 structure for bridging, and continued on down, meandered on
9 down and made a rather marked bend to the east and
10 northeast before it approached the county road bridge.

11 Now, as it got down toward the Clover place,
12 the -- it seemed to me that the terrace on the west and the
13 northwest side was more abrupt. I'm not saying that it
14 came in that close to the stream, but it was much more
15 significant as it approached the -- I think this was one
16 reason why -- that helped form the partial boundary anyway
17 of the Rush Creek Ranch.

18 Q Can you identify for me which area was this area
19 that's been referred to as the meadows area? I think the
20 Rush Creek testing reports referred to it.

21 A I could indicate the meadows area on the -- maybe we
22 have --

23 MS. GOLDSMITH: One of the exhibits?

24 MR. WILSON: That is what I was --

25 THE WITNESS: The meadows area came in from --
26 yes.

1 (Ms. Goldsmith hands document to witness.)

2 MR. WILSON: Which one are you looking at there?

3 THE WITNESS: There is --

4 MR. WILSON: Exhibit 3.

5 THE WITNESS: We located the Gorge, the meadows
6 area came in in this upper area.

7 MR. WILSON: Tell you what, why don't we go off
8 the record for a second here. Off the record for a minute.

9 (Discussion had off the record.)

10 (Whereupon, a Copy of Geomorphic Map of June
11 Lake District was then marked as Exhibit
No. 30 for identification.)

12 BY MR. WILSON:

13 Q Why don't you first identify the photo for us as what
14 it is and where you found it.

15 A Exhibit 30 is plate number 3, page 1290, from the
16 bulletin of the Geological Society of America, Volume 60,
17 August 1949, and part of a leading article in that issue by
18 Dr. William C. Putnam entitled, "The Quaternary Geology of
19 the June Lake District, California."

20 Q You made a photocopy of this plate from the book?

21 A Yes, that is correct.

22 Q Now, where we left off we are going to ask you to mark
23 on here --

24 A This is a geomorphic map of the June Lake District,
25 California, that's the title of the map.

26 Q And I was going to mark on this exhibit.

1 There were three things we wanted you to
2 mark. First why don't we have you mark it in red pen so it
3 sticks out if that is fine enough for you. The three
4 things we wanted to show first of all the meadows area and
5 why -- can you mark that with an A?

6 A I'll mark the meadows area which comes in just above
7 the Gorge, and mainly on the -- let's see, the --

8 Q Just above the Gorge?

9 A The northwest side. Below the Gorge, below the Gorge,
10 yes, I'm sorry, toward the lake, and comes in around this
11 portion back toward -- there is, there's, let's see. I'll
12 get -- that's part of the meadow area too, but the main
13 seepage was all the way around here (indicating), takes
14 this meander, and spring issues all along there, through
15 this section on the northwest side of Rush Creek. (Witness
16 marking document.) Grassy meadow areas bordering the main
17 stem of Rush Creek.

18 Q So is it correct that -- were all of the springs in
19 the meadows area, or just most of the springs?

20 A No, all of the springs were not just in the meadows
21 areas. There were some springs that came in, there were
22 two springs that came, entered the creek in the lower end
23 of Walker Creek, the very low end, just above the Gorge,
24 just a few hundred yards above the Gorge.

25 And there were some spring issues evidently
26 coming in from the southeast side, the extent of these I'm

1 not sure, but the bulk of them came in, and this is where
2 -- on our reconnaissance of the area this is where we were
3 seeking because that would have been a possibility for a
4 hatchery site.

5 Q So the mark in red is what you refer to as the
6 meadows?

7 A That's right, that's correct.

8 Q Can you maybe identify in blue where the watercress
9 beds were that you've discussed?

10 A Yeah, well, the watercress, let's see. The watercress
11 beds were located in the darker areas, let's see, I'll try
12 to --

13 Q Does that show up?

14 A It doesn't show up very well, they might show up in --

15 Q Want to try it with a highlighter?

16 A Let's see, I don't know whether I can do that or not.

17 Q Were --

18 A They're too small really to indicate with any
19 certainty.

20 Q But they're roughly in upstream edge?

21 A That's right, they're roughly in this area, in this --
22 I was going to call it kind of a pocket there in the
23 geomorphology of the area.

24 Q Finally can you identify on that photo where the Gorge
25 was, the area that you refer to as the Gorge?

26 A The Gorge.

1 Q Maybe we could pick up another circle.

2 A How do you wish me to --

3 Q Why don't you identify the first one that we've
4 identified as the meadows as A.

5 A Meadow as A.

6 Q And then put in a second circle that we can identify
7 as --

8 A And this other circle I'll actually put this over a
9 little because it straddles the channel, as B (Witness
10 marking document), the Gorge.

11 And on this particular exhibit the Gorge is
12 rather distinctly marked by two tongues, two rather tongue-
13 like structures of topography converging on the channel in
14 the proximate center of that circle marked B.

15 Q And now you're just writing on the map that A is the
16 meadows and B --

17 A Meadows and B the Gorge.

18 Q Was the meadows one of the most productive areas in
19 the creek in your experience there?

20 A In my estimation it was one of the most productive
21 sections of the whole test stream area because of the
22 combination of stream bottoms.

23 I meant to add that the stream bottom
24 throughout this reach of 3.2 miles had some fabulous
25 gravels, beautiful spawning gravels and food producing
26 gravels, and they were graded, well out in the typical

1 section of the stream, and it was very productive.

2 But this vicinity within the meadows, the
3 combination of stream and those string issues in the
4 meadows was one of the more productive areas of the test
5 stream area, yes.

6 Q What happened to that, excuse me, that area of the
7 creek as the test stream?

8 Well, let me back up a minute. Let me first
9 introduce this next exhibit.

10 MR. WILSON: Let's mark this as the next exhibit.
11 (Whereupon, a Document Entitled Creel Census
12 at Rush Creek Test Stream was then marked
as Exhibit No. 31 for identification.)

13 MR. WILSON: What we've marked as Exhibit 31 is a
14 creel census at Rush Creek, entitled The Creel Census At
15 Rush Creek Test Stream, Mono County, California, 1950, and
16 it was in the documents we produced last -- at the last
17 session of the deposition, and it's numbered from 67
18 through 87.

19 THE WITNESS: What year was that?

20 MR. WILSON: 1950.

21 MS. GOLDSMITH: Do we all have that?

22 MR. WILSON: That's right, that's the abstract,
23 there's a first page which is the abstract which I didn't
24 include.

25 Off the record for a second.

26 (Discussion had off the record.)

1 BY MR. WILSON:

2 Q Can you identify Exhibit 31?

3 A Exhibit 31?

4 Q Oh, you don't have a copy?

5 A Just a moment, 1950.

6 Q '50, I'm sorry, you're right.

7 A This was Exhibit Number 31. This is creel census at
8 Rush Creek Test Stream, Mono County California, 1950,
9 submitted October 27th, 1953, and was a report to the
10 bureau of -- to the Inland Fisheries Branch of the
11 California Department of Fish and Game by me for that
12 season's operation at the Rush Creek test stream.

13 Q Was this one of the bases upon which you wrote the
14 test stream study that was introduced last time as an
15 exhibit?

16 A The final report, yes, for the period -- for the
17 period of time 1947 to 1951, which is Exhibit Number 15.

18 Q Was there one of these done for each year?

19 A One of these reports was submitted for each of the
20 seasons, 1947, 1948, 1949, 1950, and the one finally by Mr.
21 Beck in my absence to bridge that final season in 1951.

22 Q And this was based on your personal observations in
23 your work on the Rush Creek test stream?

24 A That's correct, or under my supervision.

25 Q I want to ask you a few questions that really involve
26 the conditions at Rush Creek towards the end of the Rush

1 Creek test project, and I want to introduce this as an
2 exhibit based on notes at this time and also so you can
3 refer to it if you need to as a reference.

4 What was happening to Rush Creek or Rush
5 Creek fishery as the project progressed, the test stream
6 project?

7 A Well, the --

8 Q The flows were declining?

9 A The flows were declining and the fishery itself was
10 going down to what I have referred to in the past as -- I
11 use the expression it was developed in connection with the
12 Friant project, the vital thread. It was going down,
13 shrinking down, down. As the thread of the stream got less
14 and less the habitat shrunk, and we were just hanging on,
15 we were really hanging on to try to maintain any semblance
16 of the original objectives of the program.

17 Had we -- had we been better advised and
18 changed canoes in the stream, as a figure of speech, we
19 would have shifted to a different program of management,
20 but we were bound to follow-up on year classes and
21 markings, and so as to exhaust those marks and get the
22 total returns out of those years classes.

23 We were being strangled by diminished flows.
24 The work by Mr. Beck brought this really to a focal point,
25 and is illustrated in his report for the year 1951. He
26 actually showed in the one photograph, I would have wished

1 that Mr. Beck had published the whole series but he didn't,
2 but he did selected a -- select a key photograph for that
3 report, what was a real low point of 1.8 second feet for
4 the stream at that time. This was in July of 1951.

5 We were creating -- we were creating a kind
6 of -- by continuing management we were creating a kind of
7 fish market whereby we were planning a stream, and the very
8 small percentage of the fishermen were getting the lion's
9 share of the catch. Those that had repeated fishing in the
10 stream knew where to go, knew how to catch the fish, and
11 they were catching them out right away. And of course the
12 regulation included limit catches, and they make their
13 catches and submit the report and be on.

14 Q Was this the intent of the test study?

15 A This was not the intent, this was what you might say
16 an inadvertent result of the type of management.

17 The yield of the fishery continued to be
18 basically the planted rainbow, I think the average for the
19 year was 83 percent through the season of stocked rainbow,
20 and then the lesser percentages were made up of browns and
21 Eastern Brook, just a trace of Eastern Brook.

22 Q Did the people who fish complain about it, about
23 conditions?

24 A At the last they complained rather openly and rather
25 frequently. Mr. Beck reported that some of the anglers
26 were so frustrated and discouraged at the situation that

1 they never witnessed a light -- as a matter of fact, they
2 said many fisherman enter the stream and left without ever
3 fishing, they were not happy with what was happening or
4 what they saw.

5 Q What was it that they saw?

6 A What they saw was a thread of a stream. They saw what
7 I saw, the vital thread of the fishery that was there, the
8 very small stream, the shallow pools. There were some
9 pools, but they were shallow, very small connecting streams
10 down to a half a second foot or a second foot, connecting
11 those pools. And a stream that in its shrinkage was
12 beginning to be bordered by increasing plant encroachment.
13 Plants were coming into the thread of the stream itself and
14 encroaching more and more on the channel, and --

15 Q Why was that something that hasn't happened before?

16 A Because there was no flows, there was no flushing
17 flows, there was no what you might call spring cleansing
18 flows to keep those plants back to normal limits, within
19 the -- within what I'd call the normal channel that we knew
20 at the start.

21 Q Now, fish were surviving in the creek at this time?

22 A Yes, the browns were surviving there, the hardness of
23 the Brooks and especially the browns insure their survival.
24 They -- it's almost dead sure that the browns were able to
25 survive in those stream areas where there were perhaps
26 remnant debris. There was probably a few rocks in the

1 channel or places where the stream was well inside any
2 overhang or in the banks at all by that time. And there
3 were just pockets there where I'm sure because of the
4 density of coverage, density of riparian cover, the
5 fishermen just didn't -- didn't get at them, the browns
6 were harder to catch to begin with. They're more elusive,
7 very sensitive to angler approach and headed for cover, and
8 that helped to enable their survival.

9 Q Was this a stable condition for the creek?

10 MS. GOLDSMITH: I'd like to object as vague.

11 BY MR. WILSON:

12 Q Is it something that -- could the creek have remained
13 in this condition for a prolonged period of time?

14 A I doubt very much because I think that we were headed
15 toward -- we would have headed -- the vital thread would
16 have gotten down to the point where the same thing has
17 happened on other streams in California where you're
18 actually bordering on extinction, and the extinction is
19 caused by the sum total of habitat causes, habitat factors,
20 I should say. The diminished volume of the stream, the
21 lack of food producing areas, actual shrinkage all the way
22 around, plus the increased vulnerability of the species to
23 predation, herons, mammals, man, and so forth.

24 Q Do you recall the condition of the meadows in the 1950
25 season?

26 A The -- by the 1950 season the flows had diminished

1 where the meadows were beginning to -- I wanted to say they
2 were beginning to show a commensurate shrinkage and
3 commensurate declining.

4 Q Commensurate with the creek?

5 A With the general condition of the area. The lack of
6 water was causing a reduction in spring flows and issues
7 which were really by that time the main source of supply to
8 the test stream. They were -- by all intents and purposes
9 they were the thing that would -- was keeping the stream
10 alive.

11 Q The spring you mean?

12 A The springs.

13 Q I lost you there for just a second.

14 You mentioned a couple times the vital
15 thread, what do you mean by the vital thread, the --

16 A Well, the vital thread was a concept that I think I
17 referred to just a little earlier, a concept that was
18 developed during the Friant Dam case on the San Joaquin
19 River where the large diversions in the San Joaquin River
20 cause -- were causing -- caused the decline and causing the
21 extinction of the San Joaquin River salmon. And applying
22 this same concept, the same concept to -- to Rush Creek I
23 could see throughout the reach a similar diminution which
24 was going to lead to extinction of the -- eventually if it
25 just kept going that way.

26 Q What I guess -- I think maybe I unfocused there for a

1 minute. What was the concept itself of the vital thread?
2 You mentioned you develop -- well, did you mention it was
3 you that had developed it?

4 A Yes, I developed that concept as a -- it was a
5 condition of bear existence of the species within the
6 thread of the channel as we knew -- once knew it on the San
7 Joaquin, and condition of bear existence as we once knew it
8 originally, originally knew it on Rush Creek.

9 Q What's the significance of having the vital thread?

10 A Well, the vital thread, if there is any possibility at
11 all for survival, the resilience, the hardiness of the
12 species and the resilience of the species, and when
13 conditions are restored it maintains that way.

14 And I have seen in situations over on the
15 Coast in steelhead streams where the vital thread is
16 reduced to intermitency, and all you have are pools of more
17 or less depth with fingerling steelheads. These pools
18 perhaps would be two and a half to three feet deep, and at
19 the very bottom of the pool is a layer of cool, flowing
20 water, flowing through the under gravels. This is where
21 your survivors are located. Time and again we saw this
22 during the summer in the streams over in the Eel River
23 system, Russian River system, and similarly, that same --
24 that was a vital thread only under conditions of stream
25 intermitency.

26 Here I feel quite sure that the conditions

1 would have come on down and there may have been pockets of
2 survival within the, as it were, the stream basin below the
3 Gorge, from there to the mouth where there would be
4 survival simply because the browns, and perhaps the Eastern
5 Brook too, but the browns would pocket themselves in those
6 areas and just -- they would feed, they would -- they would
7 maintain themselves as long as they could.

8 Q Let's finish up one final section here on Rush Creek
9 that I want to clarify.

10 We had talked a little bit about the areas
11 where Walker and Parker Creeks empty into or feed into --
12 I'm not sure what the fish term would be, fish language
13 term -- but they empty into Rush Creek.

14 A Yes, Walker and Parker Creek early on were emptying
15 into Rush Creek.

16 Q Did I understand correctly that your testimony last
17 time was that the fish from Rush Creek were entering into
18 Walker and Parker?

19 A Yes, in the lower reaches, when conditions for
20 movement presented themselves the fish were there, and they
21 did that partly in their search for food. As the food --
22 as the stream regained itself food began to be produced
23 again and the fish would follow that food, not for any
24 great distance, but for -- within the boundaries of their
25 individual safety you might say.

26 Q Skipping up, well, let's start with --

1 (Mr. Wilson and Mr. Vorster conferring off the
2 record.)

3 BY MR. WILSON:

4 Q Skipping up a little bit to above -- well, let's go
5 back to Parker Creek, I've totally confused myself.

6 Was there a continuous flow of water before
7 the DWP diversions from where the diversion point currently
8 is into Rush Creek?

9 A Yes. There were early in the year, there was -- I
10 remember one field trip with Dr. Putnam where we both were
11 able to see the continuous -- the continuous stream down,
12 right on down. There were probably, during the time of
13 year of good flows, probably sufficient flow to enable
14 perhaps local seepage or return water, enough to keep a
15 thread of the stream. And then as it got down lower there
16 was more of the stream clear down to its junction with Rush
17 Creek, but there were continuous streams flowing in both
18 Walker and Parker Creek.

19 Q Did you -- I think you may have said last time that
20 you had seen fish in those creeks or had fish --

21 A Which?

22 Q Let's start with Parker Creek, did you --

23 A I had fished the lower reach of Parker Creek.

24 Q And -- I'm sorry?

25 A And I fished up into the lower reach of Walker Creek
26 too.

1 Q Had the wardens reported to you or had you heard from
2 anybody else about the fishing in other stretches of Parker
3 and Walker Creeks, if you remember, up either above the
4 meadow or above the irrigation areas?

5 A The wardens did check these streams when they were
6 actively flowing, they checked them from time to time for
7 fishing, and fishing did occur as I got feedback from them.

8 I don't know what the frequency of their
9 visitations was because I didn't keep that close track of
10 their patrol activities, but I did get feedback from them
11 that where the streams were active, any stream at all, why
12 there was fishing.

13 And it seemed to me that they were -- I
14 attempted to correlate this with the times when the wind
15 blew anglers off of Grant and June Lake and more or less
16 compelled the anglers to seek other water. They were --
17 but I think there was some correlation there too.

18 Q How do you mean?

19 A Well, because of the high winds, the Chinook winds,
20 they were driven our of the higher areas because higher
21 danger, they sought stream fishing.

22 And at that time because of that they were
23 exploring these areas fishing, and that's when the wardens
24 caught up with them, at least saw them. I don't mean to
25 cite them or --

26 Q People fishing without licenses or something?

1 A Yes, that was part of their duties. There were no
2 sections of those streams that were in illegal boundaries.
3 Checking for license activity.

4 Q I see.

5 A To see what fishing activity was going on.

6 Q Where Walker and Parker Creeks entered into Rush Creek
7 does that have particular significance biologically, those
8 areas? I mean not just the fact they entered in.

9 A Well, for one thing, as far as Parker, yes. As far as
10 Parker and Walker were concerned, I think I pointed out
11 earlier that both of these areas were contributing, feeding
12 a nursery section to Rush Creek, those lower reaches, to
13 the extent that nursery and propagating areas, spawning
14 areas.

15 Q Can you describe what a nursery area is?

16 A Well, the nursery area would be an area that would be
17 an area that was suitable for spawning where the young
18 would appear from the gravel in due course and the young
19 would remain there until large enough to go back down in
20 the main stream, back down in its main stem and contribute
21 to the fishery lower down in that stream.

22 And they also contributed drift on the part
23 of the Eastern Brook species for both Walker Creek and
24 Parker Creeks.

25 Q How do you mean drifts?

26 A As the fish got larger they worked downstream, the

1 flows would actually cause them to -- the recurrence of
2 higher water in the spring would cause them to back down.

3 Then in the irrigation diversions -- in
4 fact, that the stream at the time was being all or partly
5 dewatered, the stream, that would force them lower down
6 into Rush Creek. And this in my opinion accounted for some
7 of the appearance of Eastern Brook in the upper end of the
8 Rush Creek test stream.

9 Q Did the flows -- the inflows from -- excuse me, from
10 Parker Creek and Walker Creek have any moderating effect on
11 the temperatures in Rush Creek that you know of?

12 A Only to the effect -- well, when -- only to the extent
13 that those sections and streams, and they were pretty well
14 covered, it was not -- I don't think a complete canopy, but
15 there's a partial canopy of willows and other riparian
16 coverage both of those sections, and that would contribute
17 to the cooling effect, cooled inflows into Rush Creek in
18 that portion.

19 Coming through that, I think that there may
20 have been also some chemistry inasmuch as the flows --
21 there was actual addition to that extent out of -- into,
22 back into Rush Creek from the areas that were irrigated out
23 of Rush Creek.

24 But there could have been leachings from
25 workings of stock in the -- those portions of Pumice Valley
26 which contributed to this nursery value and in turn

1 contributed to the richness of the test stream, the lower
2 portions of both Walker Creek and Parker Creek, and the
3 richness of the test stream.

4 MR. WILSON: I could use a break. I'd like to
5 ask a few questions about these Rush things and we're
6 almost done.

7 (Discussion had off the record; brief recess
8 taken.)

9 BY MR. WILSON:

10 Q I wanted to move back up Rush Creek a little bit
11 continuing our march to Grant Lake.

12 We've now I think covered Rush Creek as it
13 stood prediversion from approximately the Gorge, or
14 actually I think from the highway, from 395 essentially to
15 the lake, and I want to move up from there.

16 A By the lake you mean Mono Lake?

17 Q Mono lake.

18 A Yes.

19 Q What I wanted to -- I've seen some maps of an area
20 referred to as the narrows on Rush Creek, down in the Gorge
21 area also.

22 A The narrows is referred to as the Gorge, they're one
23 and the same.

24 Q Okay. I just wanted to clarify that.

25 A Yes.

26 Q And that is distinct from another area which is the

1 constriction in Grant Lake and also called the narrows
2 sometimes?

3 A Yes, the narrows, the constriction in Grant Lake, and
4 what they call the narrows right through here (indicating).

5 Q And what you're pointing to on Exhibit 3 is the
6 constriction in Grant Lake where the two moraine intrude in
7 the lake?

8 A Right. This is the proximate point of the Tioga
9 moraine, within the Tahoe moraines here and --

10 Q I just wanted to clarify the Gorge and the narrows are
11 one and the same as far as Rush Creek goes.

12 A That's correct.

13 Q Can you describe Rush Creek between Grant Lake and
14 Highway 395 before the onset of the diversions, and again
15 just a brief summary of what you recall?

16 A Yes, the stream, thread of the stream, let's see,
17 coming down below Grant Lake the stream was for a ways
18 relatively more respected. There were large boulders or
19 granite boulders in there as you might expect from the
20 glacial till, and coarse rubble.

21 There were more pools, and there were more
22 -- because of that stream structure, there was relatively
23 more drop-off, even some cascades in there at that time.
24 And rather coarse stream structure, but a lot of pool
25 structure for a trout stream.

26 Then it went on down what we call the bend,

1 the big bend there and tended to -- as it got down toward
2 the plain of Pumice Valley it had a tendency to spread out,
3 it was less confined within a canyon type of terrain, and
4 got more so as it approached Highway 395, and so did the
5 openness in the stream and the gravel, and the productivity
6 it seemed to me increased from, oh, a few hundred yards
7 below Grant Lake right on down to the highway, old Highway
8 395.

9 Q Are you familiar -- excuse me, are you familiar with
10 where Mono gate number one now enters Rush Creek?

11 A Mono gate number one enters Rush Creek about -- let's
12 see.

13 Q I don't need you to identify, what I want to ask you
14 about is what was that section of Rush Creek like that was
15 now dewatered. I want to get an idea of what that stream
16 was like in terms of vegetation.

17 A It was about as I described for that first section of
18 mile or mile and a half below the stream, was a good trout
19 stream. Rather increased gradient with heavy boulders and
20 rubble down through there and some cascading of the stream,
21 and was rather substantially productive but not -- I
22 thought not as productive as the stream lower down toward
23 Highway 395.

24 Q Was it fished?

25 A Yes, it was.

26 Q And was it again one of those areas that was more

1 heavily fished when it was too windy for both, for Grant
2 Lake?

3 A The anglers would go into the stream and fish any of
4 the reaches of Rush Creek that they could get to, and they
5 could get to most of it under those conditions.

6 Q What kind of vegetation was there in that area of the
7 creek?

8 A Well, below -- from right below Grant Lake there were
9 Jeffrey pines, clustered and scattered all the way down to
10 old Highway 395 there was a rather -- some of these were
11 large trees, and on the -- I think I have a photograph
12 taken from the bend of the road below Grant Lake looking
13 down across the plain.

14 This was taken in 1935, showing the location
15 of these trees on the landscape from -- generally from
16 meandering as the stream went, meandered to the left and
17 then back to the right and then on down to Pumice Valley.

18 Q Is that one of the ones we marked as an exhibit?

19 A It's an exhibit, yes.

20 Q Can you identify that so we're clear what we're
21 talking about?

22 A Yes, it's on Exhibit Number -- it's on Exhibit Number
23 8, and the middle photograph. Showing the general
24 direction of trees from right to left, you can see the
25 taller trees there are Jeffrey pines, the lesser trees are
26 some lodgepoles in there, but also many cottonwoods, black

1 cottonwoods, a lot of willows on both sides of the stream.
2 I do not remember the -- or any extensive distribution of
3 aspen below Grant Lake.

4 My recollection the predominance of the
5 aspen occurred above Grant Lake in the upper delta area
6 right up on, up below Silver Lake.

7 Q Did that vegetation -- how long did that vegetation
8 survive after the diversion?

9 MS. GOLDSMITH: Objection, vague, which
10 vegetation are you talking about?

11 MR. WILSON: That he just described.

12 MS. GOLDSMITH: Above?

13 MR. WILSON: Good point. Between Grant Lake and
14 Highway 395.

15 THE WITNESS: Well, as soon as the dewatering
16 occurred distribution began and there were -- it took some
17 time before the deeper rooted trees and so on showed
18 actual, you know, death. But I think I pointed out earlier
19 that one of the last things I remember before I left the
20 area was above and below 395 and down that far the rusty
21 colored Jeffries that were just dead. And there were many,
22 there were many black cottonwoods dead.

23 There were many willows dead also, but I
24 think the willows were able to hang on for a little longer
25 time for some reason or other, I think it's because their
26 roots maybe have greater penetrating power or something

1 into the watered areas or the moist areas.

2 BY MR. WILSON:

3 Q Let's talk very briefly about Grant Lake itself. Were
4 you familiar with Grant Lake before the -- well, before it
5 was --

6 A Before the construction of the dam?

7 Q Before the construction, I was trying to think,
8 because it was increased in size?

9 A I like to phrase that as a reconstruction because
10 there was a dam there prior to the work by the City of Los
11 Angeles, their contractors, which was actually enlargement.

12 I was generally familiar with the lake in
13 its form, and it certainly had the appearance that is shown
14 on Exhibit Number 3, 30, as far as this outline and so on.
15 And I was more specifically familiar with the east side,
16 the extensive plant beds.

17 There were very extensive plant beds from
18 about this cove right on down through this cove near the
19 dam on the east side extending down along the east side
20 toward the southern end to this point, and then the plant
21 beds in this area too, and these plant beds were a variety
22 of water plants (indicating).

23 Q Again the area just north?

24 A Generally the east side of Grant Lake in the shallows.
25 Here's where the shoal areas were, the -- what we call the
26 littoral, l-i-t-t-o-r-a-l, zone of the lake, and here is

1 where more often than not was a great concentration of
2 chubs of various sizes and several fish, the dominant
3 population of browns, large browns.

4 Here is where most of the fly fishing for
5 those big browns occurred as the season wore on. Come late
6 summer and the fall it -- the lake became hot, so to speak,
7 in fishermen's parlance because of the appearance of those
8 browns. Fishing was excellent, they'd wade out and fish
9 out there where they could on the edge of the plant beds
10 after these big browns.

11 Q Did you ever take surveys or counts of how many people
12 were fishing?

13 A No, we didn't conduct a census the times that you
14 fish, it was just to verify the fact of what -- my wife's
15 uncle was an expert fly fisherman, and he took anglers down
16 there that were guests of their lodge and received reports
17 from them and others as well as to their success and
18 occurrence of the fish. And these fish, of course, were
19 the self same species and size and condition that appeared
20 in the fall at the Rush Creek testing station.

21 Grant Lake under those conditions was
22 relatively a warmer lake, shallower and relatively a warmer
23 lake.

24 Q When you say relatively warmer, can you give us an
25 idea of the range?

26 A Well, the warmest temperature that I recall was in the

1 '70's, and this was a surface temperature. And there was a
2 time when on a series of hot days, comparative still nights
3 that we -- I myself and at least one of the other
4 fishermen, hatcherymen, and at least one of the wardens
5 were concerned about a possible die-off if that temperature
6 rose up higher toward in the '80's and it remained that way
7 there was a possibility of a die-off of the trout or other
8 fish, just tens of thousands of chubs, which formed that
9 valuable foundation, and there could have been a die-off
10 starting with them.

11 I saw no, as a result, during that period,
12 one period I think of in particular --

13 Q Which period is that?

14 A This is during a summer period, during let's see, it
15 was 19 -- 1940, the dam was -- began to fill the reservoir
16 after the work was done, completed in the in late 1940's,
17 but during that fall period of 1939 or during the summer or
18 later summer of 1939 there was a period when I thought that
19 there might be some disease breakout in the chubs because
20 of the density of them.

21 Q Do you know if those plant beds are still there?

22 A I -- because of the change, the lake then was what we
23 call eutrophic, e-u-t-r-o-p-h-i-c, eutrophic water, and it
24 was very productive, partly due to the plant bed and partly
25 due to certainly temperatures and so on. But the lake
26 changed as it deepened, it enlarged and deepened and

1 cooler, and the habitat of the lake became generally more
2 favorable because of that and the increased plankton
3 production for rainbow.

4 Almost sure that this was a contributing
5 factor to the lingering, call it residual population of
6 black spotted. These are large fish, it was quite a
7 healing sight to see them working their way up to the
8 L.A.-Venturi Weir and above in the spring, we knew that
9 conditions were at least favorable partly due to that.

10 Q Was there also an area at the inlet to Grant Lake that
11 was of particular interest to you before the construction
12 of the dam, of the new dam? I mumbled again, at the inlet
13 to Grant Lake before the construction of the new dam?

14 A Yes, there was an extensive area at the inlet where
15 the stream first entered. It seemed to me that the stream
16 first entered farther over toward the old roadside before
17 there was braiding definitely in the Grant Lake Delta in
18 the early years and persisted right on down through the
19 time when the -- these great aspen groves and some
20 lodgepoles were removed by the contractors.

21 Q And --

22 A Clearance. That was 1940.

23 Q And which contractors?

24 A These are Los Angeles City contractors.

25 Q So in connection with enlargement of the dam?

26 A The project, yes.

1 And great piles of these were dozed up and
2 burned, and the ash and so on, I think I mentioned that
3 earlier contributing to the pollution of the lake.

4 Enormous amounts of turbidity went on clear on down Rush
5 Creek to Mono Lake. Because of that turbidity, it took
6 some time to clear up.

7 But to continue, as the lake got larger and
8 deeper and cooler, it became -- and was more heavily
9 stocked -- there was a situation there where once the
10 rainbow began to take hold and it began to get larger
11 rainbow it was more of a clamor for increased stocking from
12 Hot Creek, and this certainly contributed to the increased
13 dominance of the rainbow in the fishery. In no way was it
14 the results of spawning, natural spawning, because the
15 rainbow -- over the years the only trout that were
16 successful for spawning were the Cutthroats, it ran all the
17 way up to June Lake. And in good water years.

18 And while I don't quite -- I don't
19 thoroughly understand why the rainbow didn't avail
20 themselves of suitable -- one such area was this area below
21 Silver Lake. It could have been too cold, the air up above
22 -- no, here's Silver Lake, right in here (indicating). Up
23 into the lower ends of Fern Creek and Reverse Creek, and
24 even some of those fish, as migratory as they are, could
25 have gone in good water years to June Lake, but they did
26 not.

1 I would have thought that because of the
2 planting in the early years, starting in around 1921, of
3 steelhead in June Lake that produced -- these were real --
4 these were from coastal steelhead stock originally, that
5 some of these fish would have drifted down in their
6 tendency to migrate out of the water down into Grant Lake
7 and then come back, migrate up through there.

8 But there just wasn't the transportation
9 because of the size of the outlet of Gull Lake and the
10 relatively small stream in Reverse Creek at a time I guess
11 that they -- perhaps they just weren't -- the fish, the
12 steelhead, they were caught out of June Lake, in other
13 words.

14 Q I think that pretty well answers my question about
15 preconstruction conditions. I want to look, I think I
16 skipped an exhibit in here.

17 A I think one thing, if I might add, about Grant Lake is
18 that it is deserving of a thorough geomorphological survey
19 which has really never been done. We were not -- we were
20 tied down with the project at June Lake by requests from
21 our department, and I felt that there was a crying need for
22 a thorough going geolimnological survey early on, and then
23 after the dominance or tendency to dominate the fishery by
24 the rainbow, the larger, deeper, colder, it should have
25 been explored, it should have been surveyed.

26 Q I think that's pretty much all. One more thing I just

1 wanted to clarify, to go back to the collection of Rush
2 Creek, below Grant Lake and above Highway 395. When you
3 were -- when we were describing the portion of the creek
4 that no longer has any water in it at all, that's just the
5 section between Grant lake and --

6 A Mono gate.

7 Q Mono gate number one?

8 A Diversion, yes.

9 Q Was that what you were describing when I was asking
10 you about that section?

11 A Yes, the original condition of that.

12 Q Okay.

13 A Yeah.

14 Q And that's --

15 A I don't -- let's see, I really don't recall at anytime
16 when that section of the stream was water pocketed. In
17 other words, whether intermittent, just pools, whether from
18 construction seepage or what. But I don't recall anytime
19 when it was just --

20 (Pause in proceedings for Mrs. Vestal to change
21 tape in recorder.)

22 THE WITNESS: I think the statement had been
23 completed anyway.

24 BY MR. WILSON:

25 Q In your answer to the last question --

26 MRS. VESTAL: In backwards, I guess so.

1 (Pause in proceedings to change tape in
2 recorder.)

3 BY MR. WILSON:

4 Q In your answer to the last question are you talking
5 about after the diversions began, I'm not quite sure I
6 understood.

7 A Well, I was really talking about the more historical
8 condition of the stream. After the diversions began there
9 was -- there was -- for a while there was a pocketing of
10 the stream, eventually as time went on it dried up.

11 Q By pocketing you mean pools of water remained in
12 there?

13 A Yeah, chiefly standing water pools.

14 Q I just didn't understand the term, that was all.

15 MR. WILSON: It's all yours.

16 FURTHER EXAMINATION

17 BY MS. GOLDSMITH:

18 Q Okay. I wanted to clear up an ambiguity with respect
19 to Walker and Parker Creek. You were asked whether or not
20 they were continuous streams, and I believe I recall your
21 answer was that they were continuous streams early in the
22 year, and there's two different meanings of the term
23 "continuous." One is persist throughout the year, the
24 other is they go from point A to point B continuously but
25 may be intermittent.

26 Was your experience prediversion that Walker

1 Creek was continuous from above the current diversion point
2 of the City of Los Angeles to its juncture with Rush Creek
3 throughout the year?

4 A Originally in times of heavy -- in high water years
5 this was certainly the case. There was enough water coming
6 out of Walker Creek to go all the way and provide the
7 irrigation diversions. There was, in other words, there
8 was sufficient water to maintain a stream in the channel
9 all the way down to Rush Creek.

10 Then as it got farther down, of course,
11 because those years where you have more.

12 Q In normal or drier years would it be continuous from
13 above the -- continuous and persistent?

14 A It would be -- it would be discontinuous for a ways
15 below the -- below the point of diversion, and then again
16 would pick up again as you got lower and lower down. The
17 drier years it would be lower down the creek before you'd
18 begin inflow, return flow.

19 Q Is this characterization also true for Parker Creek?

20 A For Parker Creek?

21 Q As well?

22 A Essentially, yes.

23 MR. WILSON: Can we back up on the last one, did
24 you say Walker in the last one?

25 MS. GOLDSMITH: I don't remember which one I
26 asked --

1 THE WITNESS: Parker Creek was the last one.

2 MR. WILSON: Was the first one Parker or Walker?

3 MS. GOLDSMITH: Can you read it back?

4 (Record read.)

5 BY MS. GOLDSMITH:

6 Q You also testified just now that you fished the lower
7 reach -- lower reach of Parker Creek. Breaking lower
8 Parker Creek into the part above the highway and the part
9 below the highway, did you fish lower Parker Creek above
10 the highway?

11 A No. No, below the highway in that last section just
12 before it went into Rush Creek.

13 Q Did you fish the lower reach of Walker Creek above the
14 highway?

15 A No, not above the highway.

16 Q Then --

17 A Actually, in the fishing there I was after exploration
18 of the extent to which that lower section, just before it
19 went down into Rush Creek, was utilized by the trout. I
20 wanted to try to --

21 Q How far above the mouth of Walker Creek have you ever
22 fished personally?

23 A Oh, not -- probably not more than 200 yards, 250 yards
24 at the outside.

25 Q What about above the mouth of Parker?

26 A Parker Creek less than that, probably a hundred to a

1 hundred and fifty yards.

2 Q You also testified about temperatures and the effect
3 of inflow from Walker and Parker Creek on temperature in
4 lower Rush Creek, and I believe it was your conclusion that
5 these creeks had a moderating influence on the temperature
6 of lower Rush Creek; was that a correct characterization of
7 your testimony?

8 A To some extent because of the canopy of cover, the
9 cascading effects and the canopy of cover, and as far as
10 Walker Creek was concerned inflow from at least two spring
11 areas and some seepage there in the lower Walker Creek, so
12 this would drop the temperatures down, decreasing
13 temperatures or help to decrease the temperature in that
14 section of Rush Creek.

15 Q Did you ever take any measurements of the temperature
16 of those creeks?

17 A No, I never did, I never did record the temperatures.
18 The uppermost temperatures I recorded was right there at
19 the Gorge.

20 Q Uppermost being the most upstream location?

21 A The most upstream location being -- pertaining more
22 closely to the test stream area itself.

23 MS. GOLDSMITH: That's all the questions I have.

24 MR. WILSON: I think we're getting close to the
25 end here.

26 THE WITNESS: This is Exhibit Number 30, this is

1 marked for entry was it?

2 MR. WILSON: That's right, that is the original.

3 Why don't we -- first I want to ask you a
4 little bit about another section of the river.

5 First let's mark as the next exhibit in
6 order this summary chart.

7 (Whereupon, a Copy of a Chart Dated 2-5-90
8 was then marked as Exhibit No. 32 for
9 identification.)

9 FURTHER EXAMINATION

10 BY MR. WILSON:

11 Q Let me show you what's been marked as Exhibit 32, and
12 can you tell us what this is? I know that you can, I'm
13 asking will you?

14 A Yes. This is a chart that was -- that I felt would be
15 a more convenient method of bringing together considerable
16 note data on the early conditions of Mono Lake tributary
17 streams. At the top --

18 Q Well, before we get into the substance I just want to
19 ask you a couple or preliminary questions. When did you
20 prepare this?

21 A This was finally brought together on the 5th of
22 February this year.

23 Q And what were the sources of the information -- of
24 information that you used to prepare --

25 A My early field notes and the weekly logs and monthly
26 logs, accumulation of records and photographs and so on

1 which have been entered into this matter.

2 Q Is there anything that you -- any source of
3 information that you used or relied on in your preparation
4 of this chart that has not already been produced in --
5 either produced as a document or introduced as an exhibit,
6 or most likely both in this case?

7 A I know of none.

8 Q Did you also -- is this something that you prepared
9 potentially to submit to the Water Board in conjunction
10 with our proceeding?

11 A If need be, yes.

12 Q Why don't you describe then what you set out here. I
13 just wanted to get the preliminary matters into the --

14 A Well, the chart then entitled Mono Lake Tributary
15 Streams, shows at the top, just indicate some preliminary
16 captions here, the symbols used in the chart for the
17 species, BN for brown trout, RT for rainbow trout, EB for
18 Eastern Brook trout, CT for Lahontan Cutthroat trout and
19 parenthetically black-spotted.

20 And then over to the right I used the
21 lettering on fishing intensity derived from a report I'm
22 going to refer to in a moment, grading the fish intensity
23 in terms of heavy, medium, or light, A for heavy, B for
24 medium, and C for light, and this is taken from the a paper
25 developed by Dr. H. S. Davis, of United States Bureau of
26 Fisheries, fishery circular number 26, 1938, which was used

1 as a guide entitled "Instructions for Conducting Stream and
2 Lake Surveys." It was a handy reference to itemize the
3 left-hand column, numbers one through 26, the different
4 categories of information in order to prepare the chart.

5 And across the top are the columns Rush
6 Creek, Parker Creek, Walker Creek, Lee Vining Creek, and
7 then on the last column on the right various remarks.

8 What I did here was bring together the
9 information under these -- in these categories as suggested
10 in Dr. Davis's fishery circular as a means of locating the
11 information that had been brought together.

12 For example, for Rush Creek in the section
13 this was the lower 7.93 miles, from Grant Lake to the mouth
14 at Mono Lake, and its source was to be found in snow,
15 glaciers, springs, and surface runoff, which I had observed
16 in my surveys in the upper Rush Creek Basin in 1939 and
17 1940.

18 And then under barriers, Grant Lake Dam, of
19 course, the early dam prior to the diversions, elevations
20 7,060 feet. And I made the comment in that historically
21 there were no barriers between the mouth and June Lake.

22 Q You mentioned also that the dam pre-enlargement.
23 These conditions are all as you've recalled them prior to
24 the onset of the diversions, right?

25 A Yes.

26 Q I want to do one other thing, I'm sorry to be jumping

1 around, but I think we're running up on our time. There's
2 one other document I just want to at least identify.

3 MR. WILSON: It's the one we just -- this is one
4 we just -- no this is taken out of the documents. Have
5 this marked as the next exhibit.

6 MS. GOLDSMITH: What is the date?

7 MR. WILSON: It's a memo, in a memo format, it's
8 field correspondence, it's number 287 through 294. I don't
9 believe it's been identified as an exhibit though --

10 (Interruption in proceedings.)

11 (Discussion had off the record.)

12 MR. WILSON: I have two more very quick
13 questions. Back on the record.

14 BY MR. WILSON:

15 Q One I just notice the chart, Exhibit 32, under number
16 7 in Rush Creek you have some pretty high historic flows
17 your research indicated in Rush Creek. Did you -- in 1940
18 did you see any evidence that the creek had been harmed by
19 these high flows in the past?

20 A No, there were two points behind those entries, one
21 was that I scanned the records to indicate the range of
22 flows to see what high flows were, what they amounted to,
23 but there was no evidence that I can recall that the stream
24 had been harmed by, for example, the upward range of 1200
25 second feet.

26 Q Nothing of the sort that you saw?

1 A Nothing of a catastrophic nature. Certainly at 1200
2 second feet in Rush Creek there were velocities that would
3 move bottom materials, but the stream from Grant Lake down
4 to the lower limit of the riparian cover was protected and
5 contained, its integrity was preserved because of the
6 intense growths of willows and cottonwoods and riparian
7 growth.

8 Q The final subject, moving along, is to switch entirely
9 to the Owens River and particularly the portion of the
10 Owens River below the east portal.

11 A Yes.

12 Q Were you familiar with the area of the Owens River in
13 1940 and before?

14 A Yes, I had run a rapid survey of that section of the
15 river in connection with the distribution of trout, and
16 also an exploration of the big springs as a possible
17 hatchery site, and then down below into the Owens River
18 from that site.

19 Q Did you have a chance to observe that portion of the
20 river after the flow began entering through the east
21 portal?

22 A Yes. One of the first things -- one of the first
23 occurrences -- one of the very first occurrences was
24 apparently flushing flow out of the tunnel of 200 second
25 feet. It was called to my attention and it had -- it had
26 spilled a lot of tunnel debris into the outlet and down

1 into the river and had caused some -- had caused some
2 stranding, when the spring went down it caused some
3 stranding of fish locally, bends and pockets of the river,
4 and some of that silting had gone clear on down to the bend
5 across there.

6 I also explored the section of the river in
7 connection with spawning of suckers from Crowley Lake, this
8 was a regular spring occurrence, but there was a complaint
9 about the upward movement, upstream migration of suckers
10 from Crowley Lake, and the disturbance that was being
11 created by the actual spawning of those, of suckers, and
12 the young of the suckers and trout, other fish moving in to
13 capitalize on the disturbed bottom foods and also feed on
14 the eggs of the -- millions of eggs that were being spewed
15 out by the spawning suckers.

16 On that particular occasion I surveyed a
17 15-mile reach of it.

18 Q Was the concern about --

19 A One of the significant things about the silt out from
20 the tunnel, the flushing, apparently flushing flows of the
21 tunnel 200 second feet was that some brown trout at that
22 time of the year entered the tunnel and went through the
23 entire tunnel into Grant Lake and appeared on the -- at the
24 spawning station on Rush Creek. This was the inference,
25 inference of our hatcherymen because there were so many
26 fish that they had to close down, they had to open it up

1 and let them go. They just couldn't contain them, and the
2 only possible source of those fish coming in a surge like
3 that was through the tunnel, and I joined in that
4 inference.

5 MR. WILSON: Thank you. I think that will about
6 do it since we're about to be evicted.

7 (The deposition was concluded at 6:05 p.m.)

8 --oOo--

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

26

--oOo--

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25
26

I have read the foregoing deposition. The answers to the questions are true of my own knowledge. I declare under the penalty of perjury that the foregoing deposition is a true and correct transcription of my said testimony, except as I have corrected any answer in ink and initialed such correction.

Signature of Witness

Date of Signature

--oOo--

() The deponent failed to appear in order to approve or sign his/her deposition.

() The deponent refused to approve or sign his/her deposition for the following reason: _____

() The deponent approved his/her deposition by the letter attached hereto and made a part of the deposition herein.

--oOo--

1 STATE OF CALIFORNIA)
2 COUNTY OF NAPA) ss:
3

4 I hereby certify that the witness in the
5 foregoing deposition, named

6 ELDEN H. VESTAL,

7 was by me duly sworn to testify the truth, the whole truth,
8 and nothing but the truth in the within-entitled cause,
9 pursuant to Section 2093(b) CCP; that said deposition was
10 taken at the time and place therein named; that the
11 testimony of the said witness was reported by me, a duly
12 licensed Certified Shorthand Reporter under the laws of the
13 State of California, and a disinterested person, and was
14 thereafter transcribed into typewriting under my direction.

15 And I further certify that I am not of counsel or
16 attorney for either or any of the parties to said
17 deposition, nor in any way interested in the outcome of the
18 cause named in said caption.

19 IN WITNESS WHEREOF, I have
20 hereunto set my hand this

21 15th day of March,

22 1990.

23 Rebecca K. Felker

24 REBECCA K. FELKER, CSR NO. 8043
25 County of Napa
26 State of California

--oOo--

SIMS & SIMS
Certified Shorthand Reporters
1700 Second Street - Suite 308
Napa, California 94559-0117

Napa: 707-226-3022
Vallejo: 707-642-3224
Fairfield: 707-428-3666

Date: March 15, 1990

TO: Mr. Elden H. Vestal
3042 Donna Dr.
Napa, CA 94558

RE: National Audobon Socient vs. State Water Resources
Board, et al.

The deposition you have rendered in the above-entitled matter has been transcribed into typewriting and is ready for your review.

If you wish to read, correct, and sign your deposition, the deposition transcript will be available in our Napa offices during business hours for a period of 30 calendar days following your receipt of this letter.

Please phone our offices for an appointment, if you wish to review your deposition.

If you have any questions regarding this letter, please contact your attorney.

Yours very truly,

SIMS & SIMS

BY: Rebecca K. Felker
CSR No. 8043

CES:rf

cc: All counsel
Original
Date taken: March 1, 1990